

UNITED STATES FEDERAL COMMUNICATIONS COMMISSION

In the Matter of:)
)
VERIZON & SBC v. ASCENT) Docket No. :
& PACE,) 96-98
LOCAL CIRCUIT SWITCH UNE)
CARVE-OUT DEBATE)

Volume: 1
Pages: 1 through 91
Place: Washington, D.C.
Date: November 17, 2000

HERITAGE REPORTING CORPORATION

Official Reporters
1220 L Street, N.W., Suite 600
Washington, D.C. 20005-4018
(202) 628-4888
hrc@concentric.net

Before the
FEDERAL COMMUNICATIONS COMMISSION
Washington, D.C. 20554

In the Matter of:)
)
VERIZON & SBC v. ASCENT) Docket No.:
& PACE,) 96-98
LOCAL CIRCUIT SWITCH UNE)
CARVE-OUT DEBATE)

Room 5-B516
FCC Building
445 Twelfth Street, S.W.
Washington, D.C.

Friday,
November 17, 2000

The parties met, pursuant to notice, at
2:06 p.m.

APPEARANCES:

COMMISSION

- Ms. Dorothy Atwood
- Ms. Michelle Carey
- Mr. Jon Reel
- Mr. Glenn Reynolds

PANEL

Mr. Dana Crowne,
Allegiance Telecom, Inc.
Mr. Thomas Jones,
Willkie, Farr & Gallagher
for Allegiance Telecom, Inc.
Ms. Mary Albert,
Allegiance Telecom, Inc.
Mr. Augie Trinchese,
Verizon Communications, Inc.
Mr. Jon Banks,
BellSouth
Ms. Kathie Levitz,
BellSouth
Mr. Dave Scott,
Birch Telecom
Ms. Genny Morelli,
Pace Coalition
Mr. Joe Gillan,
Pace Coalition
Mr. Charles Hunter,
Ascent Network Services
Mr. Ed Shakin,
Verizon Communications, Inc.
Mr. Gary Phillips,
SBC Communications, Inc.

P R O C E E D I N G S

1

2

(2:06 p.m.)

3

4

5

6

7

MS. ATWOOD: Okay. Let's get started. I think it would be useful, since we're transcribing this, to identify who you are and who you're representing for the transcript, so that those that read this afterwards know who we are. For the FCC, it's Dorothy Atwood.

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

MS. CAREY: Michelle Carey.

MR. REEL: John Reel.

MR. REYNOLDS: Glen Reynolds.

MR. CROWNE: My name is Dana Crowne. I'm with Allegiance Telecom.

MS. ATWOOD: And I should say this. If we could speak clearly into the microphone.

MR. JONES: Thomas Jones, Wilkie Farr, for Allegiance.

MS. ALBERT: Mary Albert, also with Allegiance Telecom.

MS. LEVITZ: Kathie Levitz, Bell South.

MR. BANKS: Jon Banks, Bell South.

MR. SCOTT: Dave Scott, Birch Telecom.

MS. MORELLI: Genny Morelli, on behalf of the Pace Coalition.

MR. GILLAN: Joe Gillan, on behalf of the Pace Coalition.

1 MR. HUNTER: Charles Hunter, on behalf of
2 Ascent.

3 MR. TRINCHESE: Augie Trinchese, Verizon
4 Communication.

5 MR. SHAKIN: Ed Shakin, Verizon.

6 MR. PHILLIPS: Gary Phillips, SBC.

7 MS. ATWOOD: Great. Well, thanks for coming. I
8 want to some spend a bit of time just getting some
9 preliminary arguments out of the way and understanding --
10 we've had you come in and talk to us separately. We've
11 got a lot of record on this proceeding. And one thing
12 seems to be fairly uniform, and that is that no one likes
13 the order as it stands, and that there are three moving
14 parts, as I can tell, to the order now that we've
15 discussed, that parties have brought to our attention.

16 The first moving part is whether we got it right
17 with limiting it to the MSA -- Zone 1 top 50 MSAs. The
18 other moving part is that we adopted the four-or-more
19 line count, whether we got that right. And then, the
20 other piece of this is the association with the EEL and
21 the carve-out would be only available where the EEL was
22 available. And so I guess it would be useful to just
23 hear a little bit -- and I realize these will be general
24 statements and we're going to get into the meat of each
25 of these -- but just hear generally a few minutes from

1 each side about kind of where you stand on each of those
2 I mentioned and what you're asking this Commission to
3 consider. And I don't know who would like to go first.
4 Why don't we start with you guys.

5 MR. PHILLIPS: First, I think that, even among
6 many of the CLECs, I think that favor more stringent
7 unbundled switching requirements, there seems to be
8 agreement that the Zone 1 restriction was arbitrary and
9 should be eliminated. Speaking for SBC, the Zone 1
10 restriction means that unbundled switching relief would
11 be potentially available in 64 out of our 3,000-plus wire
12 centers, meaning about 2 percent of our wire centers.
13 Those wire centers serve only one-sixth of the business
14 lines in the top 50 MSAs and 3 percent of our lines
15 overall, so it gives us virtually nothing.

16 And we think that the larger picture, it's
17 arbitrary, because the zones were established by
18 companies based on criteria that have really very little
19 to do with the issue before the FCC today. Some
20 companies made their decisions to establish zones based
21 on circumstances that really have nothing to do with this
22 and, therefore, there's a wide disparity, even among the
23 ILECs as to how central offices were classified. I think
24 in the case of Bell South, the zones are not even on a
25 wire-center-by-wire-center basis but on a geographic

1 basis. So there's a disparate impact among the ILECs
2 Zone 1 requirement and we think it clearly needs to be
3 eliminated.

4 With respect to the four-line cutoff, we think
5 that certainly there is no basis in the record for
6 raising that. We think that a cleaner, more logical
7 cutoff would be just a clean, biz-res split. And with
8 respect to the EEL, we think that there should be no
9 requirement that there be relief conditioned on the
10 availability of the EEL.

11 MS. ATWOOD: Okay. You guys want to --

12 MR. TRINCHESE: For Verizon, I won't spend much
13 time on Zone 1. Obviously, we don't support a Zone 1
14 restriction. It wasn't intended to deal with UNEs or the
15 provision of unbundled switching. It was an access
16 structure and really has no relationship to UNEs at all,
17 and we favor doing away with the restriction. As far as
18 the four-line or more limit, our experience and our data
19 has demonstrated to us that platform or unbundled
20 switching is not being used to serve the business market.
21 It's being served by, primarily, other carrier switches.
22 There is switching available, and we'd like to see the
23 restriction go away, in favor of res-biz split.

24 As far as the EEL goes, we don't believe that
25 the EEL is -- should be a requirement of the unbundled --

1 the switching limitation. Rather, that it shouldn't have
2 any relationship to it at all. And we have a pending
3 court case in front of us that talks about new
4 combinations, which hasn't been decided yet. It can
5 create some problems with making it a requirement of
6 unbundled switching.

7 MS. ATWOOD: Okay.

8 MR. HUNTER: On behalf of Ascent, I'd agree with
9 Gary to one extent, that I do believe that Zone 1 is
10 arbitrary to the extent that we think the Commission got
11 it right to start with, that a lack of unbundled
12 switching impairs, and clearly impairs, our members'
13 ability to compete in the market. So, to the extent that
14 there's a change in the geographic area, we think the
15 geographic area should be shrunk to nothing. That is, it
16 hasn't been our position on reconsideration, but that's
17 where we would like this thing to come out.

18 With respect to four or more lines, we do think
19 that --

20 MS. ATWOOD: So, therefore, it isn't your
21 position, then.

22 MR. HUNTER: Excuse me?

23 MS. ATWOOD: If it's not your position --

24 MR. HUNTER: It's -- I mean, it's what our
25 preference would be, particularly given the way the

1 market has turned over the last six months. With respect
2 to four or more lines, we do believe that was arbitrary,
3 that there is no rational relationship to where the
4 impairment would be if an impairment exists. We think
5 the only rational linkage is to the T-1 facility. We
6 think that that level should be increased to the T-1
7 facility. Absent increasing it to that kind of an,
8 either a line threshold or physical facility threshold,
9 our members are denied access -- denied viable, realistic
10 access to their historically targeted market.

11 Our members, essentially, are small carriers
12 that serve small business. UNE-P, effectively, is the
13 only means -- viable means, by which our members can
14 reach that market.

15 MR. GILLAN: On behalf of the Pace Coalition, I
16 guess I'm going to take them in the following order.
17 With respect to the line count, our fundamental view of
18 this is that there is a digital divide in terms of
19 people's ability to provide competitive services and
20 that, fundamentally, analog lines are more efficiently
21 served over UNE-P. When a customer gets large enough to
22 be served on digital facilities then people can provide
23 -- find other ways of meeting it. So, in terms of the
24 four lines, we think it needs to be changed because it
25 doesn't track correctly the break point between analog

1 and digital services.

2 With respect to Zone 1, I'm going to have to
3 disagree with Gary. I don't think there's unanimity that
4 Zone 1 was arbitrary. In fact, I think that there was a
5 logical basis for Zone 1, even though we no longer think
6 that you necessarily need to apply it.

7 And here's the logic: In a world where
8 customers can use their own facilities to serve digital
9 customers, the question arises as to where are
10 concentrations of DS-1 customer located? And while the
11 zones were not created for UNE purposes, they were
12 created to answer a very similar question. When the
13 Commission granted the ILECs pricing flexibility for
14 special access services -- services that are defined very
15 much by the DS-1 break point, those are DS-1 customers --
16 it told the ILECs that they could come back with pricing
17 flexibility plans that gave them pricing flexibility for
18 these dense concentrations of DS-1 customers.

19 So, in terms of its usefulness, it's not an
20 arbitrary conclusion, it was a conclusion that basically
21 said the ILECs have told us this is where concentrations
22 of DS-1 customers are, this is where we don't think it's
23 necessary for switching to be available. Now, the ILECs
24 may have applied all that logic arbitrarily, and so that
25 the result has nothing to do with concentrations of DS-1

1 customers, but there was a logical tie.

2 That said, as a practical matter, we have taken
3 the position that if a line count increases to match this
4 break point between analog and digital service, then you
5 don't need to focus exclusively on those end offices
6 inside these large cities where their customers were
7 concentrated, because carriers can reach out from those
8 end offices and serve other DS-1-and-above customers in
9 the city, and that the rule can be expanded in that
10 sense, so that DS-1-and-above customers in the entire
11 city would be subject to the ULS restriction.

12 Now, does that mean you're tied to an EEL? No,
13 not in our view. Only because, realistically, the EEL
14 that people were talking about in the environment leading
15 up to this restriction was an unrestricted EEL that could
16 be used for anything, and as a result of things that
17 happened since then, the EEL really is nothing more than
18 a special access circuit that a carrier can order and
19 then roll if it meets the test. And that obligation
20 exists outside the MSA, inside the MSA, with or without
21 switching. There's no real reason to -- there is no tie
22 any longer between what you're allowed to buy and whether
23 they took switching on or off the table.

24 So, as far as we're concerned, just view it as
25 separately, address it separately. The switching

1 carve-out -- look at where are, you know, what type of
2 customers can people serve efficiently? Our view is it's
3 analog versus digital, and the best break point is just,
4 has the customer obtained digital service at DS-1 or
5 should you do some approximation of the line count?

6 MS. ATWOOD: Which would be?

7 MR. GILLAN: Our analysis is around 20 lines.
8 Quite frankly, we'd still tell you the cleanest,
9 simplest, most effective way is to just trust the
10 customer. When they want a DS-1, they will buy one. And
11 then, just don't let carriers buy a DS-1 from the ILEC
12 and connect it to a DS-1 switch port. And you get,
13 effectively, the same outcome without going through all
14 this approximation. But we recognize that some customers
15 that have not yet got a DS-1 perhaps could be served by a
16 DS-1. It happens every day, and so, we've gone through
17 this process of approximating it for you.

18 MS. ATWOOD: Okay. I have questions for all of
19 you.

20 MR. SCOTT: I'm Dave Scott with Birch Telecom,
21 and it probably bears describing a little bit about
22 Birch, because many of you may not be familiar with it.
23 We're a CLEC that has traditionally served underserved
24 markets, and so, you'll find us serving a few large metro
25 areas, like Allegiance, but most of what we do is serve

1 smaller places than that. We serve 23 cities, we have
2 approximately a quarter-million access lines of service,
3 we have residential accounts, we have business accounts.

4 Our average line size is about five. And about 72
5 percent of our accounts are residential one-, two- and
6 three-line accounts.

7 The platform in the availability of the switch
8 port has been absolutely essential in the growth of our
9 business.

10 MS. ATWOOD: You said your predominant business
11 is residential one, two, three or residential and --

12 MR. SCOTT: No. Residential accounts and small
13 business, one, two and three size. From our perspective,
14 using the platform to serve customers is essential for
15 the viability of competition in these market segments.
16 We can get into a lot of the reasons for that. Some of
17 them are economic. Some of them are operational. But
18 from our perspective, any limitations on that erode our
19 capability of going in and serving these markets. We
20 also see that the line limitation is probably the worst
21 piece of it, from our perspective. Operationally, it's
22 very hard to deal with. I'll give you an example. We
23 have a three-line customer, and now they order the fourth
24 line. What do we do? So, I guess that's the part of
25 this that's been the most difficult for us, and we would

1 agree with Joe that we really think the cleanest cutoff
2 point is at a T-1 level, and so, we strongly encourage
3 that.

4 I think that as we get into this debate, the
5 jury is still very much out on the viability of
6 competition using a smart-build approach, using single
7 lines below the T-1 level. And in particular, given how
8 our industry is absolutely being battered in the
9 financial community, I think this is an important issue
10 for the FCC. It's pivotal. And right now, I think the
11 jury's out on whether competition for the mass market --
12 and when I say mass market, I mean residential and small
13 business -- will be viable. I believe the availability
14 of the switchboard to be at the heart of that.

15 MS. ATWOOD: Can I just ask -- and I promised I
16 was going to ask everyone separately, but I just can't
17 resist because we just talked about the viability of the
18 market. From what I've heard from at least SBC and
19 Verizon -- and we haven't gotten to Bell South yet, I'm
20 not sure if you guys are going to talk or were intending
21 to talk. I'm sure you will -- but I'm hearing them say
22 there's a general understanding that they're not talking
23 about going -- that there still is and ought to still
24 remain an impairment in terms of switching for
25 residential markets. Right? Is that correct? So, in

1 terms of having a platform available for the residential
2 market and in terms of the concerns you've expressed,
3 it's a viable entry strategy for the residential market,
4 at least my understanding of the record that's been
5 developed in this recon, has been, in fact, that's not
6 really contested. What we're really talking about is the
7 small business market.

8 MR. SCOTT: Yeah, our focus has been on the
9 small business market. I'll go on to say that I think
10 you're going to greatly limit competition for
11 residential, as well. The fixed cost of getting in and
12 serving the mass market. We spend, in Birch's case,
13 approximately \$100 million on systems. And so, when we
14 go in and market service, it's essential for the way we
15 do business in the mass market that we get as many
16 customers as possible. It's why we've chosen to serve
17 large and small markets, residential and business
18 subscribers both.

19 So if we are impaired from going in and serving
20 the small business market, you will see an effect on the
21 residential market, as well.

22 MS. ATWOOD: Well, okay. You would be -- in
23 order to have the platform, we have to determine if you
24 are, in fact, impaired, not that failing to give you the
25 platform impairs you.

1 MR. SCOTT: Right.

2 MS. ATWOOD: There's a difference. But okay, I
3 understand. And thanks for coming, too, to give us the
4 company's --

5 MR. BANKS: John Banks, with Bell South. I
6 guess we'll have to say something. Just briefly -- and
7 our reason is that we've lost very substantial numbers of
8 small business lines to CLECs. And a very substantial
9 percentage of the market has been lost to CLECs. The
10 CLECs that have taken these lines and this market share
11 have used UNE loops with their own switches, with their
12 own loop facilities. So, if the debate's about
13 impairment, we've successfully lost an awful lot of the
14 market share to CLECs that don't use our bundled
15 switching or UNE platforms to serve distant cities.

16 So we feel that the switching exemption would be
17 much more sensibly drawn between business and
18 residential. And based on the market facts, I think even
19 the Zone 1 restriction isn't linked to the impairment
20 that CLECs might have, because we've lost share in the
21 major downtown areas and Tier 2 cities and throughout the
22 region. We think that, really, the UNE switching should
23 be available for residential customers and not for
24 business customers, and that's the only distinction that
25 should be drawn.

1 MR. CROWNE: As I said before, I'm from
2 Allegiance Telecom, and what I'd like to do is just give
3 you a few words on our experiences. Allegiance started
4 operations in August of 1997, and we did our first hot
5 cut onto an unbundled loop about eight months following
6 that. We are currently in 26 of the top 50 MSAs, and by
7 the end of next year, we expect to be in another 10 of
8 that same list of the top 50 MSAs. Our provisioning
9 methods are almost exclusively unbundled loops into our
10 own switching facilities, and we target primarily small
11 and medium-sized businesses.

12 Should I pass these around? This should give
13 you an idea of kind of what we're doing, what our
14 experience is for the customers that we are putting onto
15 unbundled loops. And I think some of this is pertinent
16 to the discussion. I also find myself in the awkward
17 position of tending to agree with our friends in the --
18 so if I begin to stutter at times, you're going to have
19 to forgive me in that regard.

20 Basically, what this says is that 87 percent of
21 our customers have 10 lines or less. In fact, what it
22 doesn't say on here is that a significant percentage of
23 those customers are actually less than three lines, that
24 we have had a great deal of success hooking up customers
25 using unbundled loops and also getting them a cut onto

1 our network, although there are certainly issues in that
2 regard.

3 The question regarding whether the economic
4 boundaries -- obviously, there's a density issue. On the
5 top, the DMSAs -- to take your three pivotal items in
6 order. We're typically in the more dense markets, and
7 we're in the more dense parts of those markets. And so,
8 whether or not that boundary is arbitrary, I don't know.

9 I can tell you that in some of the wire centers that
10 we're in, we are experiencing less density than just the
11 Zone 1, and we are experiencing a sufficient number of
12 lines to make it a viable business.

13 In regards to your question regarding whether
14 three lines or four lines is the right number, our
15 economies on unbundled loops are basically per -- our
16 fixed elements are per wire center, if you will, so,
17 whether or not it's two customers with two lines or one
18 customer with four lines, there are some differences in
19 what our economies would be. However, typically, that's
20 minor. So, we don't really see a reason for there to be
21 a difference between a three-line and a four-line
22 customer.

23 I can tell you that I also disagree with the
24 assertion that the break point between DS-1 customers and
25 DSO customers is at 20. Our numbers tend to be anywhere

1 from eight to 10. That's highly sensitive to unbundled
2 loop rates, and DS-1 costs, with there being more options
3 on DS-1 than there are on unbundled loops, obviously. So
4 we don't see a logical split at three or four,
5 necessarily. In fact, I would see no reason to
6 differentiate other than the biz-res.

7 MS. ATWOOD: When you say eight to 10 lines, do
8 you mean that you'll move over to a T-1 with a customer
9 who wants eight lines?

10 MR. CROWNE: Yeah. In practice, right now,
11 we're doing it at 12. We've talked internally about
12 lowering that to 10, and we have, in some jurisdictions,
13 proved to ourselves that we could do it cost effectively
14 at eight. However, like I said, that's highly sensitive
15 to the current trends on unbundled loop costs.

16 MS. ATWOOD: And are you finding, just as your
17 business case, are you entering through UNE loop and
18 then, once the lines are up at a certain level, moving
19 them over to a T-1, or are you finding there are T-1
20 customers that come in --

21 MR. CROWNE: We kind of do all of that, if you
22 will. We've -- generally speaking, an existing analog
23 customer is kept as an existing analog customer, and an
24 existing T-1 customer is kept as an existing T-1
25 customer. However, we've had a great deal of success

1 recently in integrated access devices that will be
2 provisioned over. Some combination of DS-1 facilities or
3 DSL facilities on an integrated access device, and that
4 has brought that crossover point down because there's a
5 lot of new equipment, DSL technology.

6 MS. ATWOOD: Okay.

7 MR. CROWNE: So, we're actually exploring in one
8 option, right now, bringing that down all the way to four
9 lines for that crossover point, but that has yet to be
10 proven out. We haven't intentionally marketed a
11 conversion like you're describing. In regards to kind of
12 the time frame it takes to enter a market in this -- like
13 I said, it was eight months before we did our first hot
14 cut of an unbundled loop. We have, currently of those 26
15 markets that we're in, four of them are at EBA-Dow
16 (phonetic) positive. We expect another four or about
17 eight of those markets to be at EBA-Dow positive or a
18 cash flow break even by the end of next year. Those
19 markets came up one at a time over the course -- since
20 kind of the middle of '98 till now. Typically, we've
21 found that those markets are nearing break even at
22 something less than 20 months.

23 MR. JONES: You actually meant the end of this
24 year. The eight markets --

25 MR. CROWNE: By the end of this year. I'm

1 sorry. Thanks. Twenty months as being the key, the sort
2 of parameter that we're seeing. So, you know, although
3 there are certainly some issues regarding hot cut, and
4 most of that procedural in nature, and getting the
5 systems developed, it certainly is a workable, viable
6 business model. In regards to EELs, I'm afraid I don't
7 have much opinion on that. We haven't any experience
8 with EEL provision.

9 MS. ATWOOD: Okay. I have questions about hot
10 cuts that I want to talk to you guys about, so I'll use
11 that as a segue in just a second, but from what I've
12 heard in the general discussion, there isn't anyone in
13 this room -- I just want to make sure I've got it right
14 -- that supports our continuing restriction on the EEL,
15 because of -- maybe it's for different reasons, but there
16 is nobody in this room that really supports a continuing
17 association with the EEL. Am I right on that? Okay.
18 And then, I also heard -- and I'm not exactly sure this
19 is your position -- I heard some support for retaining
20 the Zone 1. I didn't hear anybody say, however --
21 challenge our 50 MSA. I heard a challenge to the zone
22 within the MSAs, but I didn't hear a challenge to the
23 selection of 50 MSAs. In other words, some geographical
24 limitations. Now, I think you have a different position,
25 don't you?

1 MR. TRINCHESE: Yes.

2 MS. ATWOOD: But I want to make sure I have the
3 record right.

4 MR. TRINCHESE: Our position, if the carrier is
5 not impaired based on some logic of switching being
6 available, whether it would be that there is switching
7 available, they can get access to loops, they have
8 co-location -- whether it's in the top 50 MSAs or any
9 geographical area, the limitation should be lifted.
10 We've demonstrated and put on the record that there are
11 sufficient -- there are significant switches, as well as
12 significant rate centers being served by CLECs outside
13 the MSA to the extent that some of the areas outside the
14 MSAs have as many switches and rate centers being served
15 by CLEC switches that the 50 MSAs have.

16 So, depending on how many, you know, where the
17 logical point is -- one switch, two switches, three
18 switches -- there is a difference, but there is switching
19 available, there is competitive switching available, we
20 are being competed with, with CLEC switches outside of
21 the 50 top MSAs, and we feel that you should take into
22 consideration not a geographical restriction, but where
23 CLECs have switches, have obtained NXX codes and are
24 serving customers.

25 MS. ATWOOD: And what is the number of switches

1 that they have? I believe our order talked about four
2 switches. I mean, how do you determine that, if there's
3 no geographical limitation or a presumption that a
4 certain amount of -- because I believe that the original
5 order, in a sense, made a presumption that there were a
6 certain number of facilities in geographical
7 restrictions. I mean, how would we --

8 MR. PHILLIPS: In an MSA?

9 MS. ATWOOD: In an MSA, yeah. And, of course,
10 in Zone 1, too. But how would we approximate the
11 standard that you're describing?

12 MR. TRINCHESE: Well, our position is that if a
13 CLEC provides switching in an MSA, it's not impaired and
14 other CLECs are not impaired who provide the switching.

15 MS. ATWOOD: So one switch.

16 MR. TRINCHESE: One switch would be the logical
17 break point. And I'm not sure of the order that you'd
18 actually --

19 MS. ATWOOD: We rejected the one switch.

20 MR. PHILLIPS: Yeah. I mean, I could give a
21 little bit of data on that point.

22 MS. ATWOOD: Okay.

23 MR. PHILLIPS: And I just want to elaborate on
24 one point in response to the Zone 1. The zones were
25 established years and years ago based on competitive

1 conditions that existed at that time, and they were based
2 on pricing decisions at the ILECs, which were a product
3 of special access competition years ago. So, it is not
4 true -- and I would disagree with Pace on this point --
5 that the circumstances that related to the establishment
6 of the zones at that time, today, are relevant to the
7 status of competition as it relates to the ULS question
8 before you.

9 And I would just say that the proof, and I mean,
10 sort of the facts, to me, are definitive on this point.
11 When the condition was first established, Ameritech had
12 17 wire centers, total, in its region in Zone 1, and Bell
13 South had well over -- and GTE -- each had well over 100.
14 Now, the status of competition in those regions
15 certainly is not that disparate, was not that disparate,
16 that there should have been such a disparate result. So
17 I want to move off that point now.

18 Just in terms of where is competition, there are
19 actually heavy pockets of competition outside the top 100
20 MSAs, but if you look at the top, just focusing on the
21 top 50, I'll give you some numbers in the top 100. In
22 the top 100, there are 40 MSAs in the SBC region that are
23 in the top 100 nationally. And in 35 of those 40, there
24 are at least four CLEC switches, using the number that
25 the FCC established. In the top 50 MSAs, there are 20.

1 Twenty of the top 50 MSAs are in the SBC region. And
2 there are at least four CLEC switches in all 20 of them.

3 There are, in fact, at least nine CLEC switches in 19
4 out of the 20.

5 If you were going to move away from an MSA
6 approach and move towards a how many CLEC switches are
7 there in an MSA, if you went to a number like four, that
8 would cover most of the top 100 MSAs, I think, if not
9 all.

10 MR. SCOTT: I might want to point out, though,
11 if we start looking at the level of competition, I really
12 think it's completely unrelated to the number of
13 switches. And I think I'll give a pretty vivid example
14 of that. One of the switches that you count is in Kansas
15 City, Missouri, and it's in SBC's territory owned by
16 Birch Telecom. We do not use that for sub-T-1 traffic,
17 because of difficulty in the economics of doing that is
18 to the point that we actually use the platform to serve
19 customers even where the switch is free. So, I think
20 it's important to point out, in our view, that a
21 correlation between the raw number of switches in a given
22 market and the true level of competition, especially at
23 the lower end of the market, is just not fair.

24 MR. PHILLIPS: Right. And our response would
25 just simply be that I have no doubt that that is, in

1 fact, your policy and your business decision, but it is
2 not necessarily a business decision that reflects the
3 practice of most CLECs. And I'll make one other point,
4 and that is, it has been alleged in the record that when
5 SBC goes out of region, SBC will be using the UNE-P to
6 serve small- and medium-sized business customers. SBC
7 strategy out of region is to put switches in, to put
8 co-location in, and when we can reach our switch, we will
9 serve that customer using unbundled loops or our own
10 loops. If we're laying fiber, fixed wireless service,
11 before we do -- before we use the UNE-P.

12 So, it is not true that we will be relying
13 exclusively on the UNE-P to serve customers with DSO
14 analog loops. If we can reach our switch, we'll serve a
15 residential customer or a business customer using a
16 bundled loop.

17 MR. GILLAN: I've really got to respond to that.

18 MS. ATWOOD: Yes.

19 MR. GILLAN: It's actually two things.

20 MS. ATWOOD: Okay.

21 MR. GILLAN: The first is buttressing Dave's
22 point about the fact that you can't really look at a
23 switch and tell what kind of track is on it. It doesn't
24 -- the existence of a switch doesn't answer that.
25 Measures that we do have that try to give us aggregate

1 market statistics, like traffic floats, as we shared with
2 you, show that the switches that are deployed, measured
3 at the market level, are heavily, heavily, heavily
4 weighted towards inbound traffic, which we all know means
5 that those switches are serving some specialized needs.
6 I'm not -- we're not saying that they don't serve any end
7 users -- that obviously would be an exaggeration -- but
8 they are heavily focused in different market settings.

9 Secondly, it's not a question that it's Birch's
10 isolated business judgment. We believe that the case is
11 that the consensus business plan is to use switches at
12 digital facilities and above. There are some outliers.
13 We don't disagree. On the other hand, when you look at
14 those outliers, you have to look more critically at their
15 business plan than to just ask, for instance, can
16 Allegiance serve some lines? Allegiance gets, from their
17 10-Q, about 43 percent of their revenue from reciprocal
18 comp and access charges that are substantially higher
19 than the ILEC.

20 Now, whether that's a good business strategy or
21 a bad strategy has nothing to do with my point. My point
22 is that when you look at a company, if you're going to
23 say that other carriers that have different focuses that
24 are more interested in serving end users than in
25 collecting access charge revenue -- whatever the case may

1 be -- you can't make a judgment as to whether they would
2 be impaired simply because of an isolated instance of a
3 carrier saying they've managed to do something, without
4 looking at, well, how did you do it, what are the facts
5 behind it?

6 Finally, with respect to SBC's out-of-business
7 strategy, I don't believe anybody said that you were
8 going to exclusively use UNE-P, and I certainly -- if we
9 gave you that impression, we didn't mean to. However,
10 your business strategy -- this is proprietary. It is
11 certainly the case that a substantial element of that
12 strategy was UNE-P-based for an extended period of time.

13 And while it is possible for you to come to the FCC and
14 indicate, we will do something in the future, you also
15 have to be able to explain, I think, how is it that you
16 can overcome these problems that other people have
17 experienced? It isn't that you've got business strategy
18 hasn't been tried and failed. It has been tried by a
19 number of carriers.

20 And finally -- and then I'll turn it over,
21 because I'm running out of oxygen here. It isn't just
22 SBC. We can look at other ILECs who are here to tell you
23 that they can't do -- that UNE-P isn't necessary.
24 Verizon is being asked by the Pennsylvania Commission to
25 split itself into a wholesale and retail arm. When they

1 sat there and said well, gee, how will this retail arm
2 provide service, the answer was UNE-P. GTE, the former
3 -- before they became Verizon, entered the market and
4 tried to serve small business customers and exited it
5 when they concluded that they couldn't do it on resale --
6 UNE-P wasn't available -- and when they recast themselves
7 with a new business plan, they had moved away from the
8 small business to the medium and large business on their
9 own switch in San Francisco before abandoning that in
10 favor of just becoming a larger incumbent.

11 It's not just us. They have a lot of money.
12 They all have all these out-of-region states. They don't
13 need to come and tell you that they intend to do or they
14 can do or somebody else should do. They can do, but they
15 haven't. And I just don't see -- you know, until
16 somebody is able to show you market statistics of things
17 other than negligible entry, or revenue streams that are
18 unrelated to end users, that you should conclude that all
19 this is actually so simple, when nobody's been able to do
20 it.

21 MS. ATWOOD: Well, actually, can I just ask you
22 -- and not using confidential information, but I think
23 one of the carriers has put on the record a showing that
24 says there is fairly substantial loss in what would be
25 considered to be the small business --

1 MR. GILLAN: Oh, thank you.

2 MS. ATWOOD: -- market, and that loss is
3 attributed to -- at a time when the platform was not
4 available, which would lead one to conclude that the
5 condition was such that somebody was able to lure those
6 customers away.

7 MR. GILLAN: Okay. Well, without trying to
8 divulge the confidential information, but if I start
9 getting -- my assumption here is that methodology is not
10 confidential, but the numbers themselves are
11 confidential.

12 MR. BANKS: Certainly, pipe up.

13 MR. GILLAN: Okay. First, the definition of
14 small business used, as I understand it, is a number
15 greater than \$100,000 a year in telecom revenues. And we
16 estimated out what kind of line count we're talking
17 about, it was several hundred lines. So, that concept of
18 small is, to us, very, very large.

19 Secondly, the measure of lines lost was the
20 carrier just adding up every disconnect it had seen since
21 the Act was passed. So, if in March 1996, they
22 disconnected a hundred business lines, a hundred business
23 lines were attributed lost to CLEC. And next month, if
24 they lost 150 business lines, 150 business lines were
25 lost to CLECs. And they cumulatively added up every

1 single one of these lines that has ever been disconnected
2 and said lost to CLEC, without ever asking did the
3 customer buy a different service from us, did the
4 customer go into a different market.

5 There is no way -- this same carrier that
6 reflects that it has, quote, "lost" all these cumulative
7 lines has its own program to migrate customers from
8 switched access lines on the higher-speed facilities,
9 which I believe shows up as a disconnect and then a new
10 service, and is currently reporting that its equivalent
11 business line growth is 40 percent a year and has been at
12 the 40 percent year for the last several quarters. There
13 is no way that they're experiencing the kind of line
14 losses that they're describing to you while at the same
15 time they have programs to migrate those customers onto
16 other facilities and that process is growing at 40
17 percent a year. It is completely bogus.

18 See, this is where you're supposed to say Joe,
19 you're getting too far out. It's an extreme methodology
20 to try and estimate the kind of losses that they're
21 describing, and you can't draw any conclusion from it.
22 And under a basis where they never look at whether the
23 customer bought another service.

24 MR. BANKS: Okay. I'll say you're getting too
25 far out. But I've talked to other CLECs about this, but

1 Bell South put the information on the record. And just
2 briefly, the revenue ceiling, it's relatively high, but
3 that's just a ceiling. This includes all customers below
4 there, and we've recently put on some more information
5 that tries to slice it more finely so that you can
6 exclude what you might think are upper-end business
7 customers if you want and just look at the very lowest
8 end. You know, the one- to four-line customer, the four-
9 to 10-line customer. The disconnects were all evaluated,
10 and the only disconnects that we counted were ones where
11 people reported they were going to a competitive carrier.
12 So, I think the disconnect numbers are correct.

13 And I think that information shows that we've
14 lost a lot of lines to small business competitors before
15 there was even a UNE platform available to business, and
16 it's our experience that the carriers we're losing to are
17 the carriers that have put switches in and are using
18 their own loops or our UNE loops and are more or less
19 following the Allegiance plan, although Allegiance -- I
20 mean, there are several other carriers like Allegiance
21 that are present in our region that are taking up lines,
22 and that we continue to lose a very significant share on
23 a monthly basis of small business lines.

24 And the lines that we lose most of are at the
25 lower end of the market, the one- to 10-line sort of

1 customer that most CLECs have identified as the sort of
2 customer that the Bell companies aren't so good at giving
3 personal service to. And the CLECs have this model of
4 giving more personal service to those customers. So, the
5 information we've put out, I think, is pretty reliable.
6 And I'll sit around and go through it in more detail, but
7 they are real disconnects and they are real market share
8 losses.

9 MR. TRINCHESE: Dorothy, I want to just go back
10 to some basics here and just figure out if I've missed
11 something in the process. I've heard the arguments about
12 the digital divide and analog to digital, and I'm a
13 little concerned here that we're kind of losing focus.
14 As far as --

15 MS. ATWOOD: Perhaps you are. I'm kidding.

16 MR. TRINCHESE: Highly possible. The intent of
17 the Commissioner's effort to determine whether a carrier
18 was impaired or not was, I believe, to determine whether
19 or not a carrier could compete for a particular customer
20 or to serve a particular customer. The studies that have
21 been put forward and the arguments we've been hearing
22 today kind of avoid that issue. It includes in the
23 argument a conversion of an existing customer's service
24 to a different service, and the argument is based on the
25 fact that if I had four lines today -- and I wouldn't say

1 they're analog or digital -- if I had four lines today
2 and I was a business customer being served by four lines
3 or if I was being served by eight lines, that the only
4 way that CLEC could compete for those lines is if they
5 built a T-1 system out to that customer's premises and
6 served that customer over a DS-1. Well, I'm a little --
7 that may be the way they elect to do business, but that's
8 not something the Commission recognized was a viable
9 determination of finding out whether they were impaired
10 or not. In fact, in your order you specifically said you
11 didn't want to see a business model that would drag you
12 into the business they wanted to be in and technology
13 selection.

14 MS. ATWOOD: That's true, but let me -- how I've
15 distilled the way in which each of the parties comes at
16 this is, I think you want to focus on the existing
17 facilities, and they want to focus on the lack of ability
18 to enter a market, whether you look at it as an economic
19 or a market matter. And in some respects, the Commission
20 rejected both of those approaches. To some degree, the
21 Commission -- and to a large degree, the Commission in
22 its impairment analysis said we look at a lot of factors,
23 we don't focus just on facilities, we don't focus just on
24 whether you lose money.

25 We look at a variety of factors, including the

1 kinds of facilities, including the kind of market
2 opportunities there are. And what makes this hard is the
3 fact that you guys are both taking -- you're relying on
4 kind of, I think, positions that aren't embracing the way
5 in which the Commission looked at this, looking at more
6 factors than just facilities or just economics. But if I
7 can, I just want to probe a little, because I think I'm
8 not necessarily understanding fully the argument that
9 you're making, and I want to understand it a little bit
10 better because it goes to an ex parte that you filed.

11 I understand your argument to focus on the
12 reason that you're not going to go in and put in a T-1
13 for that customer -- or the reason you want to only enter
14 the market for a small business customer with a T-1 is
15 because operationally, you can't, in fact, -- it makes no
16 sense for you because of the operational hurdles, the hot
17 cut problems, the provisioning problems, for you to enter
18 a market unless you have that dedicated facility, that
19 large facility. Is that right?

20 I mean, you focus on the processes and the fact
21 that you're going to -- it's a provisioning problem for
22 you, it's a provisioning expense for you. As I
23 understood the way in which you evaluated, it focused on
24 the provisioning aspect, right? I mean, is that why the
25 model doesn't work?

1 MR. SCOTT: Yeah, that's a big portion of the
2 reason the model doesn't work. What we found, and what I
3 think every CLEC has found -- probably including
4 Allegiance, and I'll come back to, I think, a distinction
5 between a lot of us in the experience Allegiance has seen
6 -- is that problems with using the single loops and
7 processing them. And I'll give you an example. When we
8 process a single loop and send an order through
9 Southwestern Bell's systems, we've had approximately 35
10 percent of the orders fall out of the automated process
11 that now have to be dealt with one by one. It's actually
12 a part of a complaint that we filed before the Texas
13 Commission.

14 Subsequently, on the manually processed orders,
15 35 percent of those were in error status by Southwestern
16 Bell. We found that provisioning intervals were
17 inconsistent from Southwestern Bell, that the conditions
18 of the loop were all over the map, that the difficulty of
19 coordinating hot cuts in the way we serve business, which
20 is substantially different than Allegiance would approach
21 it -- again, we're in a lot of different markets serving
22 throughout the metropolitan area and not focusing on
23 dense areas or major markets -- that it was a nightmare.
24 And we found that the cost of provisioning a circuit was
25 just off the charts.

1 Now, a difference here is that -- and I'll admit
2 this, Allegiance is one of the best-financed CLECs in the
3 industry. Allegiance has raised about, I think about
4 \$2.5 billion to finance its business plan -- and you look
5 at its operating losses since they've started. I think
6 they have the capacity of bearing a great deal more pain
7 than almost anybody else in the industry, and in order to
8 establish a market position, pay for that cost. But if
9 the Commission is interested in the industry in general
10 doing well, surviving, then --

11 MS. ATWOOD: But that's what I'm getting at,
12 because, I mean, at least -- you're citing statistics in
13 Texas, but the Commission found in Texas and in New York
14 that the hot cut performance and the provisioning
15 performance were, in fact, sufficient to allow the
16 checklist to be met and --

17 MR. SCOTT: Actually, let me correct that. I
18 think you found that that, coupled with the agreement
19 that Southwestern Bell for UNE-P to be available and
20 unrestricted in that state, that that was sufficient for
21 the checklist to be met.

22 MS. ATWOOD: Well, true, but we looked at UNE-L
23 and had to pass UNE-L in order for us to find in both of
24 those states that, in fact, the performance and
25 provisioning of hot cuts was done in a timely and

1 cost-effective manner. And we can disagree over whether
2 you thought we were right or not, but the fact of the
3 matter is that we did find that, as a Commission, and so
4 part of -- excuse me?

5 MR. PHILLIPS: Did the Texas --

6 MR. TRINCHESE: As did the Massachusetts
7 Commission, the New York Commission and the FCC --

8 MS. ATWOOD: Well, the Massachusetts Commission
9 has not -- I mean, this Commission has not found yet with
10 the Massachusetts Commission, so we'll leave that off the
11 table. But what I'm getting at is, if in fact what a lot
12 of this boils down to -- a lot of your position boils
13 down to is a problem of provisioning, then does it go
14 away in the states where there's an acknowledgment that
15 the hot cut performance is adequate?

16 MR. GILLAN: No. I mean, first of all, I think
17 you have to appreciate the fact that one of the reasons
18 you could reach that conclusion was the existence of
19 UNE-P, and I think that that was where you were going.
20 What you found, as I understand it, was that they're
21 providing loops to the people who were asking for them at
22 the levels they were asking, to meet the markets they
23 were using at a level that met the checklist. The
24 question you would have to answer, I think, to take away
25 switching would be, all right, at the time I think you

1 approved the Texas application, they were handling 2,000
2 unbundled loop orders a month and 22,000 UNE-P orders, a
3 number which I'm sure has increased dramatically since
4 then. The question is, what happens if you took those
5 22,000 UNE-P orders and tried to force them --

6 MS. ATWOOD: But you wouldn't be, right?

7 MR. GILLAN: Hold on. No, you're right.

8 MS. ATWOOD: Because you're talking about the
9 residential market. That's off the table.

10 MR. GILLAN: No, you're not just talking about
11 residential, you're talking about residential and small
12 business. I mean, they may say -- and obviously, they
13 did -- that people want to use this for residential
14 service. That defies the whole existence of the Pace
15 Coalition, which has people who serve both residential
16 and business, but nobody, nobody, I think, believes that
17 they can make it just serving residential. None of our
18 members, none of the companies that we're talking to
19 would have a business strategy if they really were
20 ultimately cut back to being told, your principal
21 competitor is going to be out in the market, not having
22 to migrate any customers and being able to recover all of
23 its common costs over business and residential, but we're
24 going to tell you that if you get in the market to
25 compete against that guy, you've got to take all those

1 common infrastructure costs and recover it only on the
2 residential side, which was something of a tangent from
3 the larger point I was trying to make about the loop
4 provisioning.

5 Your loop provisioning finding goes to that
6 level for those customers. I don't believe -- what we're
7 saying is that you couldn't have that 22,000 and all
8 those other business -- I mean, how many business
9 customers do you have?

10 MR. SCOTT: We have approximately 50,000
11 business customers.

12 MR. GILLAN: Yeah. That would not -- those
13 would not -- those 50,000 people would be without
14 competitive choice without this, as a practical matter.

15 MS. CAREY: I wanted to say to you, with the
16 legal finding that the Commission made, when the
17 Commission looked at checklist compliance, we looked to
18 see whether the FAQ (phonetic) is provisioning that
19 particular element reasonably and is capable -- has
20 processing capability to serve as at reasonable
21 foreseeable demand. And that is part of the checklist.

22 MR. GILLAN: But the reasonable foreseeable
23 demand is only -- is itself the constraining factor here.
24 There's only a certain volume of orders you should
25 expect because there aren't -- they're just simply are

1 not that many carriers. I mean, Birch went in and left.

2 You read Focal's ex parte. You read MCI's ex parte, as
3 I understand it, that they don't even use loops to
4 migrate customers with analog service to digital. The
5 customer already has to be digital, effectively, before
6 you start deploying --

7 MR. PHILLIPS: And you have an ex parte that was
8 filed not just by Allegiance but by four other CLECs,
9 saying that they do, and SBC is, in fact --

10 MR. GILLAN: Hold on. No, no, no. They did not
11 say that. They did not say that, because what they said
12 was basically regurgitated back to the FCC, what you had
13 found earlier. And you said do this again. Allegiance
14 serves some of these customers, and we've already
15 discussed that they have different revenue streams that
16 not all CLECs have chosen to take advantage of. See
17 Beyond doesn't do anything, they're not in the market.
18 So, the probative value of a company that intends to do
19 something in the future has got to be seriously limited.

20 Time Warner gets 40 percent of its revenues from 10
21 customers, and its 10-Q represents that they only serve
22 medium to large businesses. So, they're not even down in
23 this small business category.

24 MS. MORELLI: And they're average line per
25 customer is 48.

1 MR. GILLAN: So, I mean, while there are
2 signatures on that, I mean, one has to place in
3 perspective the business strategies of the companies that
4 signed it.

5 MR. PHILLIPS: Let me make a few mentions in
6 response. First, this was just a snapshot, but there is
7 evidence other than the Bell South evidence. Verizon put
8 evidence in the record. They took a snapshot, admittedly
9 just a small snapshot -- New York in July -- but 99
10 percent of the lines ported -- and they put this in the
11 record -- in New York in July were associated with orders
12 of 12 lines or less, which means when the line is ported,
13 the CLEC was using its own switch. So, in 99 percent of
14 the cases in which there was a number of portability
15 orders submitted to Verizon for a switch-based CLEC
16 service, the service involved 12 lines or fewer. Number
17 one.

18 Number two is, there is, I think, a logical gap
19 in the argument when you talk about Time Warner focusing
20 on large-business customers, because if you have a switch
21 and you have co-location, there is no reason why you
22 cannot, in fact would not, want to use that switch and
23 co-location space to serve smaller business customers, as
24 well. And there has been data put in the record by Pace
25 about, you know, essentially arguing that unbundled loops

1 are insignificant market entrance strategy, but the data
2 is old data. Pace alleged that SBC has 167,000 unbundled
3 loops in service. The number is 750,000. It's not even
4 close.

5 MR. GILLAN: Are all those by themselves or are
6 some of those unbundled loops that are sold as part of a
7 UNE-P configuration?

8 MR. PHILLIPS: Those are unbundled loops not
9 part of the UNE-P configuration, and they exclude DSL, as
10 well.

11 MR. GILLAN: How do you know that?

12 MR. PHILLIPS: There's over 400,000 in just the
13 Ameritech territories.

14 MR. GILLAN: Okay.

15 MR. PHILLIPS: And so, it's not an insignificant
16 market strategy, it's a real market strategy, and it's
17 one that is being used today.

18 MR. TRINCHESE: You almost got to the point I
19 wanted to get to anyway, but that's fine. I think you're
20 getting there. Just to -- well, to the point that --
21 this should be based on the ability to take over a
22 customer -- and not on a business plan. But just to
23 support what Gary said, of the 99 percent of the hot cut
24 -- not hot cuts, of the numbers that we port that are
25 associated with orders with 12 lines or less, 60 percent

1 of those, 60 percent are even less than four lines. So,
2 there are 40 -- those to their own switches, not our
3 facility.

4 As far as unbundled loops, Verizon East -- not
5 the GTE territory itself -- to date has 400,000 unbundled
6 loops in service. Those do not include DSL loops, they
7 do not include any loop other than a two-wire analog
8 loop. 400,000 of them.

9 MR. GILLAN: In a territory of 13.8 million
10 business lines.

11 MR. TRINCHESE: Excuse me.

12 MR. GILLAN: Almost 14 million business lines.

13 MR. TRINCHESE: I'll let you know when I'm done.

14 We've ported two million numbers. Those numbers went to
15 CLEC switches. From a business perspective, for the
16 first eight months of this -- I'm sorry, to October 8th
17 of this year, we've lost 360,000 lines to customers in
18 the Verizon territory that were served with 12 lines or
19 less. Those customers have gone. And the numbers we
20 have on platform demonstrate that 93 percent of the
21 platform that Verizon provides is provided for
22 residential use.

23 MS. ATWOOD: Can I just ask you guys to comment
24 on that fact, because I want to find out how we are
25 differing on the facts here.

1 MR. GILLAN: I'm not going disagree with him
2 necessarily on the fact, but on the context.

3 MS. ATWOOD: Just in terms of percentage. I
4 mean, is it in fact true on the context of the platform
5 that it's beyond a vast majority, that over 90 percent --
6 let me put it that way, because we won't quibble on 3
7 percent -- but over 90 percent goes to the residential
8 piece, and we're really talking about of the cut, of the
9 market for platform.

10 MR. SCOTT: I'll give you some numbers. I
11 certainly can't talk about the Verizon territory at all,
12 but I can talk about Missouri and Kansas, and we've taken
13 Southwestern Bell filings for the number of lines that
14 were lost in the states of Missouri and Kansas: 145,378
15 Kansas, 127,596 total lines lost to CLECs. Now, the
16 thing that puzzles me here is that Birch has 32 percent
17 of those lines in Missouri. And I can tell you that they
18 are -- a large percentage of those are on the platform
19 and that all of those that are on the platform are
20 serving business customers.

21 So, it's a completely different situation in
22 Missouri and Kansas. 51 percent of the lines that were
23 lost to competitors have been lost to Birch. And a large
24 percentage of those are businesses that are on the
25 platform that we would otherwise not be able to serve.

1 So, I mean, I don't know, we've got a lot of facts
2 swirling around.

3 MS. ATWOOD: And those businesses are four lines
4 or less.

5 MR. SCOTT: Those are going to be a distribution
6 of lines. I would say that about 60 percent of those are
7 four lines and less. We could get the exact
8 distribution. About 90 percent of them are less than 12
9 lines.

10 MR. CROWNE: Since Allegiance has been mentioned
11 a couple of times going around table since last I spoke
12 -- just a couple of things here. We measure small
13 businesses, small and medium-sized businesses as 25 lines
14 or less. We don't have a revenue ceiling. We very
15 carefully track what we perceive the market to be, which
16 is generally public information as far as number of lines
17 -- business access lines -- in a wire center. We find
18 that, overall, across Allegiance in all 26 of those
19 markets, we have penetrated approximately 2.2 percent of
20 what we perceive to be our available market, which is
21 small- and medium-size businesses in the geographic
22 regions that we serve.

23 That 2.2 percent represents, also, well over
24 50,000 different customer names, hardly a negligible
25 entry. I can't speak to the CLEC industry as a whole,

1 but I can tell you that if the 2 million ported numbers
2 in Verizon territory is accurate, we aren't getting our
3 share, because we've only got about 600,000 ported over
4 nationwide, and that's in all territories. So, put those
5 numbers in perspective. The only other observation I'd
6 say to some of the comments that have come around
7 regarding Allegiance is that I would not characterize
8 Allegiance's ability to execute based on it being
9 exclusively due to our ability to raise capital. Quite
10 the opposite.

11 I think the cause and effect is somewhat
12 backwards on those two elements. And there really is no
13 secret to what we're doing. Basic block and tackling.
14 Getting in, getting the problem solved, escalating to the
15 regulatory bodies when appropriate, but working on a day
16 to day basis.

17 MR. SCOTT: This isn't an insult at all. In
18 fact it's a compliment, but you guys started with how
19 much inequity before you had operations? Several hundred
20 million dollars?

21 MR. CROWNE: One hundred million.

22 MR. SCOTT: One hundred million dollars in
23 equity. How much pledged at that point?

24 MR. CROWNE: Actually, that was the pledged
25 number. We had operations, I believe it was about half

1 that.

2 MR. JONES: This is a red herring. I mean, this
3 ability to raise capital doesn't really mean -- I mean,
4 as the travails of the X-DSL providers recently
5 demonstrated, it doesn't really mean anything. But I
6 just would like to address this ex parte that was also
7 filed by the CLECs. The reason -- some of those CLECs
8 have not focused on the small, medium business market
9 yet, but they're actually going down-market increasingly
10 as they find that they can do so efficiently. That's the
11 very point of that ex parte. You know, they haven't been
12 heavily involved -- accept Allegiance -- recently in this
13 proceeding, because they really haven't considered that
14 to be an important part of their business plans.

15 But the Time Warner telecoms of the world are
16 certainly seriously working to build their entry for that
17 market segment to go down to the smaller customers. See
18 Beyond hasn't started serving anybody, but I take it that
19 that's sort of part of the analysis here. See Beyond is
20 a startup company that is planning, they're looking at
21 all the entry barriers as they exist right now, and
22 they're planning to deploy switches to serve exactly the
23 small business customer market that you're talking about
24 here. So I think they're highly relevant, actually, to
25 this analysis.

1 You also mentioned -- and again, I think this is
2 a bit of a red herring, but I think it's worth responding
3 to it briefly -- 43 percent of revenue that Allegiance
4 gets from recip comp and access charges, I presume that
5 access charges would be collected by any of the companies
6 in question that are trying to use the UNE-P as an entry
7 mechanism, because that's one of the great advantages of
8 the UNE-P, is that you get access charges. And that's
9 also revenue associated with end users, so I don't really
10 know what that has to do with anything.

11 MR. SCOTT: I think you have to point out that
12 there are widely different rates that are being charged
13 by access. We mirror the rates of the dominant company.

14 MR. PHILLIPS: How is this relevant?

15 MR. JONES: Yeah. You have to take this market
16 as it exists. I mean, there are entry barriers and
17 market opportunities in, you know --

18 MR. PHILLIPS: The implication of the statement
19 was that Allegiance does not have a quote unquote "real
20 business plan," and they're out to just skim recip comp
21 but, I mean, in fact, it's quite evident that Allegiance
22 is consistently pointed to by analysts as one of the
23 CLECs that is best positioned precisely because they have
24 revenues that are not based upon regulatory arbitrage,
25 like recip comp and excessive access charges.

1 MS. ATWOOD: Can I lead us back down to the -- I
2 mean, we can discuss the success of Allegiance, but I
3 would like to get us back to the issue here -- excuse me?

4
5 MR. GILLAN: Well, I don't think anyone ever
6 answered your question about the 93 percent.

7 MS. ATWOOD: Yeah. And what I really wanted to
8 get at was I was just trying to get an understanding here
9 because it's been a bit of a -- there's been a lot on the
10 record and it's been difficult for us to assess what
11 really -- what percentage of this market is -- the small
12 business market is being served by the platform. You
13 know, you've discussed when it makes sense for you all to
14 go into the market and that that is through a platform
15 strategy, but the question that still remains at least as
16 murky for me in understanding this is, first, I have
17 trouble understanding what you all think of as a small
18 business, because that tends to be widely different
19 depending on who you ask. It's 25 lines, it's \$100,000
20 in revenue, it's less than four.

21 You know, I mean, everyone has a different
22 conception, so I have an issue about what is small
23 business. But from the perspective of assuming there is
24 some form of a uniform understanding of a small business,
25 how much of that is served by the platform market? And

1 that was what I was getting at to try to understand. I
2 believe that your position would be very little of it, 10
3 percent of the platform market is small business.

4 MR. GILLAN: Here is the concern that I'm -- we
5 have worked very hard to try and make you understand that
6 we're not AT&T, we're not MCI, we are the new carriers
7 that are coming in. And no disrespect to AT&T or MCI,
8 but -- and I don't mean any implication of disrespect --
9 these carriers are coming in trying to offer services,
10 putting together different packages, deploying
11 facilities, X-DSL strategies, all kinds of things. It's
12 clearly the carriers trying to innovate. At this level
13 of carrier, okay, these carriers are relying heavily --
14 that we're representing -- on the platform.

15 Now, will that show up in the statistics that
16 Verizon cites very easily? No. Because of the fact that
17 in the Verizon territory, the two big carriers, AT&T and
18 MCI, have bought the platform exclusively to serve the
19 residential customers, it's very hard for those
20 statistics to show our existence through just all those
21 lines that those carriers have purchased. So when they
22 tell you that 93 percent of the lines are for
23 residential, what they're really telling you is AT&T and
24 MCI use the platform to serve residential customers.
25 What we're trying to tell you is that for those of us

1 that are trying to bring competitive products into the
2 smaller business market, which we're really defining sort
3 of as analog customers that haven't gone digital yet, the
4 platform is a very important, necessary requirement.

5 But are you going to see us in those aggregate
6 statistics when they're dominated by AT&T and MCI? I
7 think not. And that's the only phenomenon you see with
8 the 93 percent.

9 MR. BANKS: But your question remains, because I
10 think you can look and see how many business lines we've
11 lost to CLECs and ask what percentage of that is served
12 over the UNE platform. And I didn't come with that
13 number, but I suspect that, well, it was zero percent up
14 until February of last year until the union remand order
15 took effect.

16 MS. ATWOOD: Just one question about your
17 statistics on the zero percent. You offered the
18 platform, it was just a lot more expensive, right?

19 MR. BANKS: Yes.

20 MS. ATWOOD: So, it wasn't that the platform
21 wasn't available, it was that it was really expensive.

22 MR. BANKS: It's my impression that no one used
23 the UNE platform to serve business at the market rate.
24 We had a few thousand UNE platform business lines.

25 MR. GILLAN: We have members in the Bell South

1 region who are using it who are starting up, who are
2 coming to the Bell South region. It is true that Bell
3 South's strategy of keeping up, refusing to offer this
4 until February of the year 2000 has delayed you seeing
5 this --

6 MS. LEVITZ: No, but we have two customers who
7 prior to February had signed onto our UNE-P offering that
8 had the professional service charge in addition to the
9 UNE rate charge.

10 MR. SCOTT: Let me talk about that --

11 MR. BANKS: We can figure out the percent. I
12 think it will be very low.

13 MR. SCOTT: Since we're kind of the poster child
14 for UNE-P, I think it's -- working with these aggregate
15 numbers, I think maybe our own experience in composition
16 of business might be more illustrative. We've got about
17 210,000 access lines in service right now. We started
18 using platform in any scale whatsoever back in May of '99
19 in a launch in Texas. All of our lines in Texas are done
20 under the platform. All are serving small business.
21 Today, about 65 percent of our total base of lines will
22 be done under the platform, and 100 percent of the
23 incremental lines. Actually, higher -- more than 100
24 percent, because we're converting some of the resale
25 lines to the platform as we grow our market share.

1 The numbers are a lot lower in Missouri and
2 Kansas, because we had to fight for the ability to do the
3 platform state by state. Texas was out ahead of
4 everybody else. We've begun a program to expand into the
5 Bell South region and the reason that a lot of people
6 haven't been doing UNE-P, and we don't see a large number
7 nationwide is, it's been a state-by-state development.
8 And so, a lot of us are just getting going, a lot of
9 companies are just gearing up to do this. It's not a
10 simple thing to do from a systems perspective.

11 And so, you'll see this become more and more
12 important. Evidence the announcement that Clark McCloud
13 made with the deal to do UNE-P as the expansion program
14 into the Quest territory. And there's certainly a
15 successful CLEC on the model of an Allegiance who knows
16 how to build network, understands that, but has chosen to
17 do a major expansion through UNE-P.

18 MR. CROWNE: In the U.S. West territories.

19 MR. SCOTT: In the U.S. West territories. And
20 you're seeing that company by company, location by
21 location, but the gaining factor has been the state of
22 regulation, and it's been tougher in Bell South's region.

23 MS. ATWOOD: But is it the state of regulation
24 or the provisioning problems?

25 MR. SCOTT: It's been the state of regulation.

1 MR. GILLIAN: You can't get the provision until
2 there's something to buy.

3 MR. JONES: I would point out, though, that
4 Clark has done very well in the absence of the UNE-P and
5 has relied primarily on resale as its entry strategy and
6 may just see that there are greater margins associated
7 with --

8 MR. SCOTT: In a particular kind of resale,
9 which was a grandfathered Centrex (phonetic) arrangement
10 that looked a lot like UNE-P margins.

11 MR. JONES: Right. And not necessarily the top
12 50 MSAs we're talking about.

13 MS. ATWOOD: But can I ask you the question now
14 about -- because this is something that I just want to
15 explore with you for a minute, because I think I'm
16 getting your position. Let's say you've gotten through
17 the regulatory hurdles and let's say there is a platform
18 available and let's say that platform -- this Commission
19 has said the provisioning of UNE-L is sufficient, as it
20 has in New York and Texas. Where in that world, where
21 you're talking about the vast majority of platform --
22 admittedly, the big guys are coming in and they're
23 90-percent level and they're using the platform for
24 residential. You've got your innovators that are coming
25 in.

1 But you've got a Commission decision that, in
2 fact, says that the provisioning for the hot cut
3 performance is adequate. Help me understand why at that
4 point, your arguments relating to the decisions that you
5 make under those circumstances don't equally counsel for
6 you to move to facility deployment. And the reason I ask
7 it -- and this is just because while we're talking, we
8 have always assumed that the platform had been a
9 transitional, I mean, most people viewed it as a
10 transitional mechanism to a facility's competition.

11 MR. SCOTT: Let me describe. This is a real-
12 world example.

13 MS. ATWOOD: Yes.

14 MR. SCOTT: Because I'm on the road raising
15 additional financing for Birch to support our facilities'
16 build-out, and I do agree with you, this will be largely
17 transitional for CLECs as we deploy facilities. The
18 difficulty for us right now, not only a problem with the
19 capital markets -- and they've lost faith in the ability
20 of this model to work, they certainly don't believe that
21 UNE-L works, and you see it reflected in the stock prices
22 of everybody -- but the significant problem that we face
23 right now is we're on the cusp of a new generation of
24 switching technology.

25 And so, for us to continue to grow, if we had to

1 use the UNE-L, our approach could be to go with Legacy
2 Technology and deploy that, which we couldn't raise the
3 money to do, or to go to the next phase of it. So, when
4 we go to -- I'll give you an example. We're making
5 decisions right now about expansion into the Bell South
6 territory. Our approach will be over time, as we're
7 doing in Texas right now, deploying a DSL network, which
8 allows us to, in our opinion, economically deploy a loop
9 that would carry all of the customers' traffic, even the
10 small business customer. But it's a single loop.

11 We're not trying to coordinate six different
12 loops and all the pain associated with that, because with
13 the next generation of switching equipment that we're now
14 trialing in a proof-of-concept lab, we would be able to
15 deliver fully integrated voice and data over those
16 facilities. So, yes, UNE-P does provide the bridge to
17 that next generation of switching equipment. And I know
18 people will disagree. Those who have existing circuits
19 which are in place will take a different approach to that
20 bridge. But right now, for the vast bulk of the
21 industry, there is no capital available for the
22 deployment of switching equipment into these markets
23 using UNE loops.

24 MS. ATWOOD: Before you comment, can I ask you
25 to talk -- Pace to talk about that, because I want to

1 just get the perspective of the position that you're
2 advocating. I keep reading the position as, you know,
3 this Commission, the costs associated with your entry and
4 the need for a platform really relate to the fact that we
5 have an endemic provisioning problem that increases the
6 costs associated with it. And where this Commission has
7 said -- and in fact, if I'm understanding it right --

8 MR. GILLAN: I understand, and I just --

9 MS. ATWOOD: So, I just need to hear your take
10 on that or if I got it wrong.

11 MR. GILLAN: I can only go back to what I was
12 trying to articulate earlier. I think the Commission's
13 finding that they're providing loops in an adequate way
14 is true for the types of things those loops are used for
15 at the levels that they're used for. And I think, you
16 know, there is no absolutely clear bright line here that
17 says, boy, when a customer hits eight, he can go, all
18 right? You're always going to look out in the market and
19 see some loops being purchased by people. You're going
20 to see it at levels that are commercially insignificant.

21

22 Now, that doesn't mean that individual carriers
23 are insignificant or, you know, like Allegiance, but when
24 you add them all up and you compare that level of
25 competitive activity to the dominant provider, you're

1 going to see that it's really burbling around at a
2 relatively low level. And yeah, it will grow larger, you
3 know, every time they file a report, there will be more
4 loops sold. But, you know, you're still down here five
5 years after the Act, in effect -- or four years after the
6 Act -- at a very low level.

7 I don't think that you have a conflict between
8 on the one hand saying they're providing these loops for
9 the markets that they're used to the carriers that need
10 them at the levels that those loops are useful at in a
11 way that satisfies the obligations of 271, while at the
12 same time saying but the problems that exist in that kind
13 of process prevent mass application in the manner that
14 Congress wanted this Act to work and that's there's an
15 impairment that requires that carriers have the
16 opportunity to buy loops and ports together. I mean,
17 let's face it, the local switch element was not only
18 listed in 271, but it's actually the thing that Congress
19 used when it gave an example in the -- whatever that
20 thing is, the conference report --

21 MS. ATWOOD: When they talk.

22 MR. GILLAN: Yeah, when they talk. You know,
23 the advantage of being an economist is you don't know the
24 correct words to apply to those things. At any rate,
25 when they gave the example of we don't expect people to

1 replicate things immediately, that they're going to need
2 to buy things from the ILEC, it didn't give the example
3 of the loop, they gave the example of a local switch in
4 the report. So, I don't think that you have -- you know,
5 I'm an economist and not a lawyer, but it seems to me
6 perfectly logical to reach a conclusion about their
7 ability to provision loops for the market that they're
8 used in as being adequate for 271 while at the same time
9 saying that those processes are just systematically
10 incapable of handling the volumes and in serving the
11 broad type market that you want to see over here for the
12 small analog customers.

13 And the data supports that conclusion, because
14 where you've got UNE-P, you've got lost of competition,
15 and where you don't, you don't.

16 MR. SCOTT: It seems to me the same logic could
17 be applied to saying that where fiber was connected to a
18 building, that the loop itself wasn't a required element.

19 MR. TRINCHESE: We'd agree to that.

20 MR. SCOTT: I know you would, I know you would.

21 But I thought about that one too, and it would focus
22 this discussion on the switchboard, but I guess if I were
23 Time Warner Telecom, I'd feel just as strongly about the
24 existence and the availability of a functional fiber
25 optic connection to Omnet buildings, that is true, but

1 it's functional for a certain type of business plan.

2 MS. ATWOOD: Well, I would -- the question there
3 is what did we look at when we were evaluating the high
4 performance, and I would agree with Michelle. We did
5 look at scalability. We looked at how this could
6 translate to a larger market, we looked at -- and so it
7 isn't just looking to see the performance, we looked to
8 see how the performance was done in a larger scale. But
9 I hear you saying more than that, I think. I think
10 you're saying we can make that determination but that
11 still doesn't -- that shouldn't necessarily influence our
12 view as to whether there is a need to retain an entry
13 strategy for this, CLECs, right? I mean, that's what
14 you're saying.

15 MR. HUNTER: Yes. Plus, I think if you took the
16 logic of your argument and took it to its extreme,
17 there's no basis for keeping residential separate either,
18 and I think everybody in this room agrees that there is.
19 And then, you get back into the notion of saying well,
20 what looks more like what? Does residential look more
21 like small business because all are served on an
22 individual loop basis?

23 MS. ATWOOD: Do you guys want to respond to
24 that?

25 MR. BANKS: I'd respond by saying one common

1 sense way that you would distinguish them is when you do
2 the impairment analysis, you look to see whether CLECs
3 are successfully competing for a group of customers. And
4 they are very successfully competing for small business
5 customers.

6 (Multiple voices)

7 MS. ATWOOD: Let him have it, and then you guys
8 can have more time on that.

9 MR. PHILLIPS: First of all, there is a
10 difference, and the difference is an obvious one. The
11 revenue stream, in general -- I mean, there are
12 exceptions, there are high-use residential customers --
13 but in general, the revenue stream available for serving
14 a business customer, even a small business customer, is
15 higher than the revenue stream available from serving a
16 residential customer.

17 MR. SCOTT: Actually, our residential line is
18 the same for both, so it's not completely the case. It's
19 the same for both.

20 MR. PHILLIPS: Well, I think, as a national
21 rule, that that statement is correct. And when you're a
22 CLEC and you're making business decisions about where
23 you're going to go, you're going to go where the money is
24 first. So, you're going to go to wire centers that serve
25 predominantly business customers, more business

1 customers, and you'll be able to justify the cost of your
2 own facilities, co-location, et cetera, in those wire
3 centers much more readily than you would if the wire
4 center was serving predominantly residential customers.

5 MS. ATWOOD: Can I ask if -- since you say that
6 your figures suggest differently, if you have different
7 figures, can you put them -- not immediately -- but would
8 you mind putting those on the record.

9 MR. PHILLIPS: Different figures about?

10 MS. ATWOOD: About the revenue stream for a
11 business customer. You said it's the national average.
12 I mean, if there's some objective -- I'm just trying to
13 get the best --

14 MR. PHILLIPS: Well, I mean, I can tell you just
15 -- this is just in one state, but in Indiana, my
16 understanding was, for example, you know, we get forty
17 bucks for a business line that we provide -- Ameritech
18 provides to a business, and the same thing is eight
19 dollars when provided to residential customer.

20 MS. ATWOOD: I'm just asking could you
21 supplement the record with that?

22 MR. GILLAN: But when you -- here's the concern
23 when you look at it that way. The comparison isn't
24 between what do I get in the basic local rate for a
25 business customer versus the basic local rate for a

1 residential customer? Because almost nobody buys just
2 basic local exchange service. Residential customers
3 don't have that purchasing pattern. I've been looking at
4 Bell South's numbers throughout the Southeast, and it's
5 less than, generally, 5 percent of the population by just
6 basic local exchange service. So, what you do have is,
7 when you include a bunch of these revenue sources, what
8 we're finding generally is that residents buy more
9 features, et cetera, --

10 MS. ATWOOD: Well, you want those --

11 MR. GILLAN: Yeah, but that's why --

12 MR. BANKS: The average revenue flows to both,
13 and Gary's just giving an example of the basic line
14 rates. But obviously, we would give you the actual real
15 revenue flow.

16 MR. SHAKIN: He's persuading me to rethink this
17 biz-res split. I think maybe we should have --

18 (Laughter.)

19 MS. ATWOOD: I've got you on the record. You're
20 biz-res split here. You can't take that back.

21 MR. BANKS: A lot of this is coming down to a
22 debate over whether a set of carriers that would like to
23 use the UNE platform to serve businesses would be
24 impaired if there was no UNE platform to serve
25 businesses. You know, the answer to that is obviously

1 yes.

2 MR. SCOTT: Except that we'd say it differently.

3 I think there's a class of customer that would not get
4 service because the carriers who target that would be
5 impaired. And I think it's worth a very careful analysis
6 of the distribution lines and the average line count
7 between carriers such as Allegiance and Birch. You know,
8 we don't cross paths much in the marketplace. That's an
9 anecdotal statement, but I think 72 percent of our
10 accounts are, as I said before, residential accounts or
11 small business one, two and three lines. Our
12 distribution is significantly different, and the bulk of
13 our business is at the four-line and below. A five-line
14 average versus a 10-line average is a completely
15 different world.

16 MS. ATWOOD: But did that happen because of our
17 rule? Or is your point --

18 MR. SCOTT: No, it didn't happen because --

19 MS. ATWOOD: So why isn't our rule not
20 arbitrary?

21 MR. PHILLIPS: If a five-line and a 10-line
22 average are completely different worlds, then why are you
23 arguing that everything should be swept under the one- to
24 20-line rubric?

25 MR. SCOTT: Well, again I'm arguing that there's

1 a sector of the market. When you look at an Allegiance
2 customer that has seven or eight lines -- which would be
3 a small customer for Allegiance, it would be a large
4 customer for ours -- there is no much competition in that
5 sector of the market. And so, what we're looking at it
6 the fundamental thing that makes the provisioning of a
7 loop either economic or uneconomic, viable or not viable,
8 impaired competition in that or not. If you said look,
9 go in and build a business, and we will give you the
10 platform from one to four lines, have fun, we would not
11 go into a market. I can guarantee that we could not
12 support the business based upon that.

13 We supported a business where the platform is
14 unrestricted, because of the 271 process, with
15 Southwestern Bell. That's the only reason UNE-P is
16 viable at one, two and three lines. Because we have to
17 be able to -- we can't just go in and serve those
18 accounts. It's ridiculous. Those are difficult,
19 low-margin accounts. We serve them because on an
20 incremental basis, we do contribute to that, but we need
21 to be able to use that methodology for a wider sector of
22 the market.

23 MR. GILLAN: And that's consistent across all of
24 our members that, fundamentally, they're in that four to
25 20 range. Above that, customers are going digital.

1 Below that, I mean, they've got a lot of those customers
2 and they can serve them, but they're concerned about
3 their ability to broadly serve this market as customers
4 grow, et cetera.

5 MR. HUNTER: And from the Ascent members' point
6 of view, if you remember, Ascent members generally are
7 smaller, even smaller than the Pace members, although I
8 believe most of the Pace members are members, as well.
9 The decision is when do you jump from resale to platform?
10 And that's the only real choice that most of our members
11 have. The switch just is not that viable an alternative
12 for many of our folks. If it's a one-to-four, or a
13 one-to-three, they don't make the jump, because there's a
14 watershed --

15 MS. ATWOOD: But if they're in the market.

16 MR. HUNTER: Excuse me?

17 MS. ATWOOD: It means they're in the market.

18 MR. HUNTER: They're in the resale market.

19 Resale --

20 MS. ATWOOD: How is that -- how are you then
21 impaired to be in the market if you have a resale entry?

22

23 MR. HUNTER: Because resale is dying, slowly but
24 surely. The margins aren't there to support it. That's
25 what we've been telling the Commission for a long time,

1 that the margins do not support a viable operation. For
2 our members to stay alive and to stay viable, UNE is
3 their life raft. When our members move over -- and
4 they've moved over in ever increasing numbers in both New
5 York and Texas -- their operations which have been very
6 marginal become viable operations, they start to grow,
7 they start to expand. Absent the UNE platform, they
8 slowly wither and die, and they are slowly withering and
9 dying, because the margins for resale just do not support
10 --

11 MR. GILLAN: Apart -- aside from the margins a
12 little bit, the members of our group fundamentally have
13 other types of innovations that they're either packaging,
14 pricing or software or whatever that aren't
15 resale-compatible. They wouldn't be able to offer the
16 same vector of products. You know, basically, we have
17 companies that are going in -- I'll give you a poster
18 child example. They're serving the nuns that are running
19 the hospice with 12, 15 lines, okay? That type of
20 customer is not going to be attracting --

21 MS. ATWOOD: We can't criticize the nuns. I
22 mean, they're telling the truth.

23 (Laughter.)

24 MR. SHAKIN: What we are hearing, though, is
25 okay, so they're in the market serving very small

1 business with resale, but that's dying, so don't pay
2 attention to that. And yeah, platform is not really
3 being used primarily for business, it's really mostly
4 residential, but don't pay attention to that.

5 (Multiple voices.)

6 MR. SHAKIN: Let me finish, okay, please. The
7 point that you all made was, for you, it is, but for when
8 you look at the broader statistics, it may not be. That
9 was what I heard, at least. And that's a fair point.
10 When you say my business model is, I'm using it for
11 business. And I don't think any of us are disputing
12 that. What we are disputing, though, is that when you
13 look at an impairment analysis and you say, are you
14 impaired from entering the market, could you enter the
15 market when you see the resale entry, when you see
16 facilities-based entry, when you see coming in, in
17 different ways, and then you can dismiss them one by one
18 by one by one, but eventually, you have to acknowledge
19 that we're losing significant small business customers,
20 not at the 20-line level but at the five-level, the
21 four-line level. We're losing those to people other than
22 you all. And so, someone isn't impaired.

23 MR. HUNTER: I keep hearing that you're losing
24 tremendous business customers. I hear this continually,
25 and it somewhat appalls me when I look at the stats. You

1 know, we can throw around numbers of 100,000 here,
2 200,000 there. We are six -- four years after the
3 enactment of the Act. We've got a 4-percent market
4 penetration, of which 1 percent is loops. We can get --
5 we can lose the forest for the trees here. There isn't a
6 lot of activity, and the activity is there.

7 MS. ATWOOD: But that isn't the same as Bell
8 South's --

9 MR. BANKS: I think even if you pick the really
10 broad numbers, the box have lost roughly 5 percent of the
11 market share. As you all know, the huge number of line
12 customers is residential. Almost all of the CLEC market
13 share we've lost has been in the small business segment.
14 So, that 5 percent, you can multiply that by three, four
15 or five to get the market share we've lost in the small
16 business segment.

17 MR. SCOTT: Let me ask again, what is the small
18 business segment defined as? I don't think I heard that
19 clearly.

20 MR. BANKS: Well, I think we decided that no
21 one's quite sure, but we could decide that the
22 information that we've putting on the record lately, you
23 can make your own distinction, you can draw the line at
24 10 or 20 or 50.

25 MR. SCOTT: But when you talk about these

1 numbers, are we talking about \$100,000 a year and less?
2 Is that generally the area?

3 MR. BANKS: We have a very high, probably
4 compared to what you would think, threshold for defining
5 what we see as small business. If you look through the
6 information, you can lop off the top half, okay? That
7 just makes the percentages that we've lost higher.

8 MR. SCOTT: So, when we look at the information,
9 we can go back and see what percentages have been lost,
10 and you're saying that when we see that for one 10-line
11 business customer or the sub \$5,000 a year customer, that
12 your percentages are even higher for large businesses.

13 MR. BANKS: Yes. I mean, you know, we've been
14 dismissing CLECs one by one, but one that does very well
15 in our region is Nextel, and their single most popular
16 package is a \$99 a month package for small business. And
17 the people that buy that are not large businesses, and
18 they're not medium-sized businesses. They're small
19 businesses. This is \$99 for a local and LD and access.

20 MS. ATWOOD: Well, I guess my question then
21 becomes are these isolated instances or are we talking
22 about systematic? Because we have to assess whether
23 there is -- you know, if a few companies -- I'm just
24 asking, if a few companies can enter a market, does that
25 mean that there is no barrier for all companies to enter

1 the market? And what I'm hearing you folks saying, it
2 might be really useful if you spent some time going
3 through, now that you've understood our clarification of
4 the language that has you in it, if you would go back and
5 look at ex parte, and I want to -- subject to
6 confidentiality.

7 MR. GILLAN: Do we have a way of finding that?
8 Because I have some other questions about that, and I
9 know that our ability to evaluate that is limited without
10 answers.

11 MS. ATWOOD: I would encourage that, because we
12 really like to get our hands around, shortly, very fast,
13 the kind of market we're talking about and the kind of
14 loss in that market that that statistic shows, I think
15 the Verizon statistics show that we're talking about a
16 smaller percentage, which you have an explanation for in
17 terms of overall platform. Can I just ask you what your
18 view of what a small business is? That would help me. I
19 mean, I realize we're talking about -- but it would help
20 to understand, at least from the perspective we've been
21 talking about, you guys. What's your company position on
22 a small business?

23 MR. CROWNE: All of the stats that we've pulled
24 together are basically in these lines, side segments, if
25 you will. The buckets that you see on that chart on

1 there. When we talk about the SMA marketplace, we're
2 talking about customers with 25 lines or less, generally
3 speaking. That can go higher, depending on the audience.

4 MS. ATWOOD: And you don't have any sense of how
5 many of that 25 lines or less are people who are really
6 10 lines or less.

7 MR. CROWNE: Yeah. That's what this chart does.

8

9 MR. GILLAN: 91 percent.

10 MR. CROWNE: Yeah. I mean, this is how we're
11 viewing it right now.

12 MS. ATWOOD: Okay.

13 MR. CROWNE: Under 10 is 81 percent of the -- or
14 91 percent.

15 MS. ATWOOD: And how do you -- you define it as
16 a \$100,000.

17 MR. GILLAN: No. That was -- I made that up to
18 try and protect his confidentiality, although think that
19 that number is confidential.

20 MR. BANKS: Actually, we don't define it by line
21 size, we do it by total billed revenue.

22 MR. TRINCHESE: And then how much the problem?

23 MR. BANKS: \$250,000.

24 MR. TRINCHESE: \$250,000.

25 MR. SCOTT: \$250,00. We view it by total bill

1 revenue, as well, and our would be roughly \$3,000 even of
2 \$250,000.

3 MS. ATWOOD: \$3,000?

4 MR. SCOTT: \$3,000. Yes.

5 MS. ATWOOD: There's a small business that
6 survives at \$3,000?

7 MR. BANKS: This is expenditures on
8 telecommunication services.

9 MR. SCOTT: Telecom expenditures of \$3,000 here
10 versus a quarter million.

11 MS. ATWOOD: Oh, right. You're right.

12 MR. BANKS: And let me just add that we did file
13 an ex parte yesterday with some more line information.
14 There are two --

15 MR. SCOTT: And so our average count would be
16 five lines generating about \$250 a month. That's \$3,000
17 a year. You know, that's about 1 percent of the average
18 spending is upper end small business -- small to
19 medium-sized business customers. See, we're talking
20 apples and oranges.

21 MALE SPEAKER: We've been trying to do it from
22 the perspective of when does the impairment disappear, so
23 --

24 MR. BANKS: Let me just say, you know, it is the
25 upper end, but the great majority of the customers in our

1 small business segment are in the one- to 10-line group.

2 In other words --

3 MS. ATWOOD: But still, you're talking about
4 revenue now, and he's talking about \$3,000 in telecom.
5 Is that analogous to a one to ten --

6 MR. SCOTT: Yeah, the great majority of the
7 customers are just like Allegiance has said here, in the
8 one- to 10-line group. And that's the same for us. Now,
9 we just drew the ceiling really high, but, you know, if
10 you take our ceiling and drop it, the fact that we've
11 lost lots at the lower end is still true.

12 MS. ATWOOD: And are your \$3,000 revenue --
13 communications revenue, is that -- what number of lines
14 are those?

15 MR. SCOTT: That's about five lines.

16 MS. ATWOOD: Five lines.

17 MR. SCOTT: Our average line count is just a
18 little bit under five. We have relatively few that would
19 be over the average of Allegiance. Ten and above would
20 be a pretty small percentage of that. I would point out,
21 though -- and I think this is an important comment -- for
22 us to go into a market, you know, we really can't make it
23 just on the lower end. It's an important part of our
24 business, but it's important for us to be able to provide
25 UNE-P across the entire sub-T-1 market, in order to

1 generate enough market share to cover the fixed cost of
2 being in business. So, I don't --

3 MS. ATWOOD: And what are you doing now?

4 MR. SCOTT: What are we doing now?

5 MS. ATWOOD: Um-hum.

6 MR. SCOTT: In terms of?

7 MS. ATWOOD: Well, capturing that additional
8 market share.

9 MR. SCOTT: You know, I would say -- I'd have to
10 go back and look at some specifics on that, but I would
11 say about a third of our business would come from the
12 upper end of the market, you know, the five lines, six
13 lines and above. About a third of the revenue stream for
14 us. And given the fixed costs in the business, if you
15 lop that off, we shut the door.

16 MS. ATWOOD: Well, it is lopped off, though, in
17 top 50 in a sense.

18 MR. GILLAN: But not in the real world.

19 MR. SCOTT: Yeah, not in the real world of where
20 we serve. We have access to the platform, again, as a
21 state-level regulation. That's why this issue is so
22 important. When we look at the economics of serving in
23 the Bell South territory, it's a significant issue that
24 we have to take even a fairly small area, under today's
25 geographic limitation -- say, downtown Atlanta -- and

1 strip out all that market. It may not look like a big
2 thing cast against the entire metropolitan area, but it's
3 a significant contributor to our business. But our
4 operational plans do exactly that.

5 We don't have an alternative for providing
6 service within that area, and we've chosen not to go in
7 and sell a three-line customer that someday we would have
8 to say I'm sorry, but we can't even give you a fourth
9 line. That's the operational problem that we face. So,
10 it makes the economic equation of going in and spending
11 the fixed cost necessary to establish a position that
12 serves broadly. And we serve more broadly than anybody
13 who has brought into the conversation. We're serving
14 small and big markets in a state. We serve entire metro
15 areas, not just downtown or not just 30 percent of the
16 central offices.

17 But to make all of that work is a complicated
18 equation, and the economics are incredibly compelling,
19 even with the Zone-1 access for the above-three-line
20 customers available under the platform. So, that's what
21 we're talking about here. All these things are linked,
22 much as you said in the decision over whether the loop
23 was something that met the checklist was a fairly
24 complicated thing, that we need to look at a lot of
25 factors. The same for our market entry.

1 And so, I think it's dangerous to think that
2 there can be some compromise here that would still
3 generate the level of competition for those customers at
4 the lower end of the market, because you'll be eroding
5 the viability of those players who are going to serve the
6 lower end of the market.

7 MR. PHILLIPS: I'd like to make a couple of
8 points. I would agree with the comment that was
9 previously made. I mean, to a certain extent, this seems
10 to be a debate about the business strategy of a subgroup
11 in the industry, and there can be no doubt that their
12 business strategy is a UNE-P-based strategy, but that
13 really is not the question that's before you. The
14 question that's before you is, is the CLEC compared
15 without access to the UNE-P?

16 And I want to make just two points about that.
17 One is, there are some facts that, I think, need to be
18 brought to bear. Notwithstanding allusions to lack of
19 access to capital, CLECs continue to deploy switches at a
20 pace that is actually accelerating, based on the most
21 recent data I could get, which is as of August 2000.
22 This is LERT (phonetic) data. CLECs had deployed 1,330
23 switches. When you did the original UNE remand order,
24 the data was for March of 1999, and it was 720. That
25 means that CLECs are now deploying more than a switch a

1 day.

2 Co-location. SBC has delivered 11,000
3 co-location arrangements. That's an average of 3.4 per
4 wire center in its territory. In January through March
5 of 1999, SBC and Ameritech combined were delivering 100
6 co-location arrangements a month. SBC is now delivering
7 700 a month. So, there is facilities-based deployment
8 going on, and you have to ask a basic, common sense
9 question. What are CLECs putting in all these switches
10 for? And what are they putting in all these co-location
11 arrangements for if they can't use that?

12 And I just want to make one other point, which
13 is if you are a CLEC that is out there -- and there are.
14 It's more than Allegiance, there are many -- that have
15 facilities-based strategies for serving the small
16 business market, the availability of the UNE-P does have
17 an impact on the rollout of facilities. If you're a CLEC
18 and you've got a facilities-based strategy, you put in
19 switches, you put in co-location arrangements and then
20 you expand gradually. And when you decide whether or not
21 to go into a new central office, you've got to look at
22 the revenue potential from that office, and you have to
23 decide whether you're going to be able to recover your
24 costs. And that depends on how much business you can
25 bring.

1 If the market is crowded with CLECs that are
2 deploying the UNE-P, your market share projections are
3 going to be lower. So, there is another factor to
4 consider here, which is, you know, if you've got
5 facilities-based CLECs, you, as a matter of public
6 policy, ought to take steps that encourage those
7 facilities-based CLECs to continue to deploy their
8 facilities and roll them out.

9 MR. SCOTT: Now, the same argument could be used
10 to attack the loop availability, and Time Warner Telecom
11 would make that if they were here. The second issue is,
12 the time period they chose --

13 MR. JONES: Excuse me, I actually represent Time
14 Warner Telecom, and I don't think they would make that
15 argument.

16 MR. SCOTT: Time Warner Telecom would not make
17 the argument that allowing the UNE loop -- Allegiance
18 goes in, doesn't have to build fiber to deploy to a
19 building, doesn't hurt their approach of building fiber
20 directly to Omnet buildings? The same argument that you
21 said --

22 MR. GILLAN: Time Warner Telecom has made that
23 argument.

24 MR. PHILLIPS: Do you want to just address my
25 argument on the merits and not by analogy. If you're a

1 CLEC -- I mean, address it directly and on the merits
2 instead of by analogy. If you're a CLEC --

3 MS. ATWOOD: No, Joe can you speak first.

4 MR. GILLAN: Yes. I want to talk a minute about
5 the number of switches -- First of all, there's a
6 question about the roll, "what does the word database
7 measure?" And the LERT database is used for carrier
8 routing purposes. There are clearly business strategies
9 where carriers deploy switching devices that are used so
10 that an ISP can get a broad footprint in an area, but
11 which are not really intended to provide conventional
12 services. They provide local services but not of the
13 nature that we're talking about here, which goes, really,
14 to the point. Simply because a switch is out there does
15 not tell you what type of customers, what type of
16 services, and even whether or not the strategy is viable.

17

18 When you look at all those switches, you have to
19 ask are these voice over switches? Is that what it's
20 designed for? If the CLECs were really still installing,
21 you know, however many switches -- what did you say
22 1,000? What was it?

23 MR. PHILLIPS: 1,330 is the total.

24 MR. GILLAN: How many a day?

25 MR. PHILLIPS: More than a switch a day.

1 MR. GILLAN: More than a switch a day. I think
2 Lucent would be a lot happier this morning than it is.
3 Okay? I mean, this is just not an accurate picture of
4 what's going out there, because of the fact that you have
5 the phenomenon of access servers and other switching
6 devices that are being deployed. Look at the minutes. I
7 mean, all of these stories, factually have to come back
8 together again.

9 MS. ATWOOD: Right.

10 MR. GILLAN: If there are these switches out
11 there and they're providing a service, then you should
12 see a lot of minutes coming from CLECs and not just a lot
13 of minutes going to them, which, even in great scheme of
14 things, is a small number of minutes.

15 MR. CROWNE: It's not a great number of
16 minutes, but I thought it was interesting he chose that.
17 What was the first date you said? Was it March of '99?

18

19 MR. PHILLIPS: It was the date at which the
20 comments -- the cut-off for filing comments for the
21 original UNE remand. There was no -- there was nothing
22 that was put in the record in the original comment cycle.

23 MR. CROWNE: I'd warn anybody about using --

24 MR. PHILLIPS: There was nothing nefarious.

25 MR. TRINCHESE: You also have to understand --

1 MS. ATWOOD: And why would that be?

2 MR. CROWNE: I'd warn anybody.

3 MR. PHILLIPS: I think we had as of March '99.

4 MR. CROWNE: I'd warn anyway who tries use the
5 LERTs (phonetic) to count the number of switch entities
6 out there, because due to interconnection agreements,
7 we've had to count -- some switch devices have to be
8 entered in the LER multiple times. Because we tended to
9 architect our switch networks with one switch that covers
10 a wider geographic area.

11 MR. TRINCHESE: We understand that. And the way
12 the data was gathered, it took all that into
13 consideration, so we're pretty confident that the data
14 that Gary's presenting here took all those issues into
15 consideration. And whether there are 1,000 switches or
16 850, the fact is CLECs have switches, they have, in the
17 Verizon territory alone, 8,300 NXX codes assigned to
18 them, and they should be using them to provide local
19 services. And if they're not, shame on them.

20 MR. CROWNE: Yeah. And I will tell you I tend
21 to agree with him in that endeavor. Just be warned that
22 strict switch count isn't going to necessarily get to the
23 conclusion. And also, whether that switch is physically
24 located in one MSA or another is not going to get you to
25 the answer if you're looking for a simple test as to

1 whether there's competition in the market. You know, a
2 switch is not -- sometimes there are little switches --

3 MS. ATWOOD: And that, in fact, is what the
4 Commission rejected. But I think we did in the order
5 talk about the presence of multiple evidence of CLEC
6 competition, and switches were one of the things we
7 looked at.

8 MR. CROWNE: And it's quite obvious, if there
9 are, you know, half a dozen NXX codes taken by
10 competitors, then there ought to be a half a dozen NXX
11 codes in that rate zone.

12 MS. ATWOOD: Can you guys help us, though, in
13 terms of the record that they're presenting. I mean, you
14 fundamentally challenge the notion of a level of
15 competition in these regions. Is there evidence that you
16 can point to apart from the useful study that you did
17 with respect to the decision of a CLEC in when to employ
18 its own facilities? Is there any evidence you would have
19 us look to that would help us understand demonstrably the
20 level of CLEC activity or lack thereof?

21 MR. GILLAN: Well, to tell you the truth, it
22 would seem to me that when you look where UNE-P is
23 available -- really available, not like just came on the
24 market or whatever -- Texas and New York, when you look
25 at that much competition and you look at places where it

1 isn't, you going to see this giant difference. The
2 difference -- that's the impairment. The difference
3 between what can be and what happens without it.

4 MS. ATWOOD: But why isn't that also
5 provisioning? Because, I mean, part of --

6 MR. GILLAN: It's the cumulative effect of all
7 those --

8 MS. ATWOOD: Well, couldn't you also make the
9 argument that what you're seeing in Texas and in New York
10 is the fact that in Texas and New York, the provision --
11 they've worked the kinks out of hot cuts and they've
12 worked the kinks out of the provisioning process.

13 MR. GILLAN: I don't think that their
14 provisioning of loops is all that different in their
15 states from other places. It's the --

16 MS. ATWOOD: How?

17 MR. GILLAN: I mean, I haven't looked at that
18 question, but I don't know that that's the --

19 MS. ATWOOD: I think there would be people who
20 would disagree with you on that.

21 MR. GILLAN: I'm not sure I could find anyone
22 who's happy with anything, but I'm not sure what the
23 difference in the loop is, okay? But I know that -- what
24 does UNE-P -- you have volumes of orders that -- I mean,
25 all these companies that we talk to, they don't even look

1 at states that don't have UNE-P. And can only give you a
2 warning about this. This table -- I'm sure it's
3 accurate, because there is an existence in the
4 marketplace is that there are more customers with few
5 lines than there are customers with multiple lines, but
6 if you did this chart on the number of lines that fell in
7 these categories, it would be very different. We see a
8 gap --

9 MR. BANKS: That suggests it would be even
10 easier, then.

11 MR. GILLAN: If it's so easy, compete against
12 these guys, okay? If you really think this is so easy,
13 go compete against them. You guys, come over here. I
14 want to see you.

15 (Laughter.)

16 MR. TRINCHESE: The interesting point is that
17 this appears to be coming down to an argument -- as far
18 as I'm concerned, are you impaired to be able to take a
19 one, two or three business line customer if you have a
20 switch and you have a co-location? And can you switch
21 them over to your switch?

22 MR. CROWNE: Yes.

23 MR. TRINCHESE: And that's what the answer is.
24 It's not the digital divide, it's not T-1. It's that the
25 question is, I have a customer with two lines. You said

1 the majority of your market was one, two or three-line
2 customers?

3 MR. CROWNE: Yes, I can.

4 MR. GILLAN: But that's only because the
5 majority of the market is down there.

6 MR. TRINCHESE: Excuse me? No. One-, two- or
7 three-line customers, year to date Verizon, year to date,
8 January to yesterday, 300,000 hot cuts in the Verizon
9 territory. Of those 300,000 hot cuts, 80 percent of the
10 orders to those 300,000 hot cuts were for three lines or
11 less. So, people are competing on a facility basis with
12 their own switches.

13 MS. ATWOOD: But now, you said when he said the
14 question is, you said yes, but I thought your position is
15 you're not impaired.

16 MR. CROWNE: No, he said "can you." And I said,
17 yes you can.

18 MS. ATWOOD: Oh, I'm sorry. And you said yes.
19 Okay. I misunderstood.

20 MR. TRINCHESE: 300,000 hot cuts.

21 MS. ATWOOD: Can I ask you, though, you're
22 saying that the majority of the market is down at three
23 and four, but as I understand your testimony, what you're
24 saying is yeah, that may be what we're doing now, but
25 what we really want to do is we want to ramp up.

1 MR. SCOTT: No, that's not what I'm saying. I'm
2 saying that we have a distribution of customers that
3 averages five, and if you looked at the account of
4 similar, to kind of the Allegiance covers, a large number
5 of accounts at the lower end -- about two thirds of the
6 lines are below average, about one-third is a little bit
7 higher, distributed across that. And we're providing
8 service to them today. It's not a desire to move
9 up-market, it's the economic fact that to do business in
10 the market, you need to serve across that spectrum to
11 float the whole boat. I mean, you pull this part out, it
12 doesn't work, you pull this part out, it doesn't work,
13 but they work together.

14 We're providing a service, we're providing
15 service to -- and you see the distribution -- that would
16 be a significant number, five line, six line, seven line.
17 And then, when you get up to eight, nine or 10, it
18 starts to drop off a lot. And at about 10 to 15, there
19 would be some amount. Above 15, very little.

20 MR. CROWNE: This chart doesn't show it
21 separately, but I can tell you that 31.9 percent of our
22 customers have one or two lines. They're below --

23 MR. TRINCHESE: And those are on facilities you
24 take and provide your own switching.

25 MR. CROWNE: Absolutely.

1 MS. ATWOOD: Can I just ask, because we're
2 running out of time. I need everybody to be able to wrap
3 up, because I want to make sure. I know everyone will
4 get frustrated and probably are already not getting as
5 much time as they wanted, but if we could just have --
6 and I don't think you guys get to do every single one of
7 your visits, only one of them or two. So, if you could
8 just wrap up kind of where you think the Commission ought
9 to be looking, having the benefit of listening to this in
10 just a couple minutes.

11 MR. SHAKIN: Sure. I guess what we're seeing is
12 a few things. We put facts on the record showing what
13 kinds of losses we're seeing in the small business
14 market. And then I -- and this discussion -- when I talk
15 about the small business, I'm not defining it based on
16 any particular criteria, other than what's in this
17 debate, which is really the less-than-four-lines that
18 we're trying to move the current limitation to -- the
19 biz-res split -- and slightly above that, that they're
20 trying to move it above. And what we're seeing is that
21 we've got significant customer losses in that we're
22 seeing that where we do offer UNE-P, it's being used for
23 residential service.

24 They pointed to the difference between what's
25 going on in Texas and New York. Our experience is -- and

1 I think the Commission has seen some of this too -- is
2 that when 271s are granted, there's actually a spur in
3 local competition, based on the availability of long
4 distance. So, I'm not sure you can point to the data
5 he's pointing to. But what we're seeing is tremendous
6 losses in these customers, and not to the platform. So,
7 there's someone out there who's doing it.

8 And then, we're seeing a group of companies
9 coming out and saying yeah, it's me, it's me, I'm doing
10 it and it works fine and thank you very much, I don't
11 need the UNE-P for this. And then, we're seeing a group
12 of companies that say, but I do. And the question before
13 the Commission is are those companies that say I do truly
14 impaired under the Act, and the answer has to be no. If
15 there are companies out there succeeding -- and the
16 combination of our own records of what we're losing and
17 the testimony of companies coming in saying, yes, we're
18 doing it and doing it in a way that doesn't involve UNE-P
19 and the fact that it really wasn't available in most
20 places when most of these losses were going on says that,
21 clearly, companies can do it.

22 And then, the question is does the fact that a
23 company that has a business model that says no, it
24 doesn't work for us, what we really want to do is provide
25 digital service above a certain level and analog service

1 through UNE-P below a certain level. And I believe they
2 said at one point that even if we have a switch there, we
3 wouldn't use it to those customers. Well, that's fine,
4 but that can't be the basis for the Commission decision.

5 The Commission decision has to be are those companies
6 impaired? And the fact that there are multiple companies
7 out there doing it, the fact that there are significant
8 business losses out there says that they can't be
9 impaired and that the Commission really has to move to
10 something that recognizes the realities of the market.

11 MR. GILLAN: I guess I also say that the
12 Commission has to recognize the realities of the market,
13 but the reality also includes scale and context, not
14 exception and isolated experience. Five years -- almost
15 five years into the Act as passed, and you have
16 competition at a level that's just barely floating around
17 1 or 2 percent. We have brought to you a variety of
18 entrants who could not exist until UNE-P was available,
19 to show you the types of innovations they have brought to
20 the market and the types of customers they have made
21 better off by their presence. And yes, they have some
22 customers in one to three, but there's this whole other
23 gap that they provide services to, and as a practical
24 matter, they need the ability to serve the entire
25 spectrum to survive.

1 Now, there are other carriers out there that
2 have a whole bunch of UNE-P orders and that serve only
3 residential, but I don't think that you should pin the
4 future of competition on them maintaining interest in
5 that particular market, without other people coming in
6 and being able to innovate and provide services. UNE-P
7 will get you a lot more competition and it will get you
8 price competition, because, for whatever amount of losses
9 Bell South says they're experiencing, it evidently hasn't
10 been enough to cause them to lower their business rate.
11 And that's -- whatever kind of competition you want to
12 see, I would think you'd want to see it to a level where
13 you actually see pricing responses by the incumbent
14 instead of just chugging along.

15 We think we've proven to you an impairment. We
16 think we've identified what it is -- the analog. And we
17 think we can even tell you when it's going to start
18 disappearing as new technologies come in and people start
19 this very slow process of replacing a network that was
20 built over the last hundred years. But that process is
21 going to take a long time and it's going to require more
22 than just Allegiance, and it's going to require more than
23 people charging high access rates while this little
24 window IXC (phonetic) still being around to pay them
25 exists, it's going to require a lot of players coming in

1 trying a lot of different things. And this is the way we
2 see it happening.

3 MR. SCOTT: And I guess I would suggest that we
4 not -- I'm not going to reiterate the points that were
5 made, but I'm going to give a suggestion. We've got kind
6 of an interesting situation, where two companies that
7 serve a lower end of the market are saying the exact
8 opposite thing. And so, I guess I'd kind of cancel us
9 out. But there are a lot of folks here in the industry
10 who do serve the five- to 10-line customer, like McCloud
11 and others, who I think need to weigh in, in this debate.
12 It's represented somewhat by these coalitions, but I'd
13 recommend getting a broader survey of that.

14 And frankly, I think that information on the
15 record from companies like Time Warner Telecom, who
16 serves 48 lines per account, that's not a relevant
17 discussion of whether, you know, competition is really
18 flourishing. We've kind of got Allegiance and Birch
19 saying the opposite thing here, but there are a ton of
20 folks in this industry who are trying to go after this
21 market who aren't in this room today, and I'd really
22 encourage you to get their point of view. Because I
23 think as they see how difficult it is to grow the
24 business today under the current rules, state by state,
25 and see what the platform is able to do, you see the

1 business strategies shifting as these companies struggle
2 to survive in the public markets and struggle to bring
3 down the financing necessary for there to be competition.

4
5 But when I step back from this, I kind of look
6 at what's going on in the world around us, and it's hard
7 to buy into this view that competition is flourishing and
8 going with the current rules, flourishing in a market and
9 ripping large amounts of market share away from the
10 incumbents across the board, that macro trends out there
11 just don't seem to link up to that. And I'd really
12 encourage you to not listen to us all throw out market
13 numbers here, but take a much more diligent view of
14 understanding how many customers are lost, let's make
15 sure that we sync up on the definitions that we're using
16 for small business. But this is a pretty critical
17 decision for a lot of us out here.

18 MR. BANKS: Yeah. I would just echo that, that
19 a fact-based approach is a good one, and looking at the
20 actual market share loss is a sensible -- and you can
21 take some number, you know, Box (phonetic) have lost 1 or
22 2 percent, but I think the latest FCC survey said 5
23 percent. And I think that's true in the gross amount,
24 but all of those lines are in the small business market,
25 and the losses are very much higher there. And I think

1 if you do --

2 MS. ATWOOD: You two are going to talk offline
3 to --

4 MR. BANKS: Yeah, but you can -- there are more
5 carriers than just the weak ones that are successful at
6 this. There's Nextel and Entel in our region, so, I
7 think trying to get actual numbers isn't the way to go.
8 Let me just bring in one other thing, which is when we do
9 hot cuts in our region, last month, throughout the
10 region, the average number of lines per order was just
11 over three. So, when people ask us to cut lines, they
12 ask us to cut three lines at a time and serve smaller
13 business customers, as anyone would define it.

14 MR. CROWNE: You know, I can only speak for
15 Allegiance, obviously. We've been very successful with
16 this boot cut strategy, so, we're not using -- we don't
17 have any secret sauce or anything like that. We have
18 helped define some of the processes, but, none of that's
19 proprietary. My only observation would be more on a
20 personal basis, which is it seems to me we've framed kind
21 of where the debate is, and that you should be able to
22 collect the facts that you need to decide whether or not
23 competition exists. In as much as I don't like the test
24 of the count of switches in a city -- I don't think
25 that's valid -- I think there's other obvious tests and

1 questions and data that you can collect. And I think
2 that data exists. It seems to me a fairly straightfoward
3 way of getting to the facts.

4 MS. ATWOOD: Well, that's a positive note, a
5 straightforward way of getting to the facts. This has
6 been really, really helpful, because I think it's helped
7 me understand where the rough points are and what your
8 positions are. I mean, it really has been very helpful.
9 We will no doubt be in touch with you. We are working
10 on this as a fast-track to try to bring this to a close,
11 and so, to the extent that we ask you for additional
12 information after we caucus, I would encourage you to
13 give it to us as quickly as you can. I know we're coming
14 into the holiday time, but it's really important, because
15 we have got to resolve this issue very soon.

16 So, to the extent that there are things that you
17 can -- after you go back and think of things that would
18 be helpful to us, as well, we'd really appreciate it.
19 Thanks a lot.

20 (Whereupon, at 4:00 p.m., the hearing in the
21 above-entitled matter was adjourned.)

22 //

23 //

24 //

25 //

- 1 //
- 2 //
- 3 //
- 4 //
- 5 //
- 6 //
- 7 //
- 8 //

REPORTER'S CERTIFICATE

CASE TITLE: VERIZON & SBC v. ASCENT & PACE
LOCAL CIRCUIT SWITCH UNE CARVE-OUT DEBATE

HEARING DATE: November 17, 2000

LOCATION: Washington, D.C.

I hereby certify that the proceedings and evidence are contained fully and accurately on the tapes and notes reported by me at the hearing in the above case before the Federal Communications Commission.

Date: 11/17/00

John DelPino

—

Official Reporter
Heritage Reporting

Corporation

1220 L Street, N.W., Suite

600

Washington, D.C. 20005-4018

TRANSCRIBER'S CERTIFICATE

I hereby certify that the proceedings and evidence were fully and accurately transcribed from the tapes and notes provided by the above named reporter in the above case before the Federal Communications Commission.

Date: 11/25/00

Richard Grossman

—

Official Transcriber
Heritage Reporting

Corporation

PROOFREADER'S CERTIFICATE

I hereby certify that the transcript of the proceedings and evidence in the above referenced case that was held before the Federal Communications Commission was proofread on the date specified below.

Heritage Reporting Corporation
(202) 628-4888

Date: 11/28/00

Lorenzo Jones

-

Official Proofreader
Heritage Reporting

Corporation